

1 FOR THE DEFENDANT:

2
3 THOMAS M. MELSHEIMER
4 M. BRETT JOHNSON
5 MICHAEL A. BITTNER
6 J. TRAVIS UNDERWOOD
7 WINSTON & STRAWN LLP
8 2121 North Pearl Street
9 Suite 900
10 Dallas, TX 75201

11 E. DANIELLE T. WILLIAMS
12 WINSTON & STRAWN LLP
13 300 South Tyron Street
14 16th Floor
15 Charlotte, NC 28202

16 MATTHEW R. MCCULLOUGH
17 WINSTON & STRAWN LLP
18 275 Middlefield Road
19 Suite 205
20 Menlo Park, CA 94025

21 JACK WESLEY HILL
22 WARD, SMITH & HILL, PLLC
23 P.O. Box 1231
24 1507 Bill Owens Parkway
25 Longview, TX 75606

18 COURT REPORTER: Shelly Holmes, CSR, TCRR
19 Official Court Reporter
20 United States District Court
21 Eastern District of Texas
22 Marshall Division
23 100 E. Houston
24 Marshall, Texas 75670
25 (903) 923-7464

23 (Proceedings recorded by mechanical stenography, transcript
24 produced on a CAT system.)
25

P R O C E E D I N G S

(Jury out.)

COURT SECURITY OFFICER: All rise.

THE COURT: Be seated, please.

Are the parties prepared to read into the record those items from the list of pre-admitted exhibits used before the jury during yesterday's portion of the trial?

MR. BUNT: Yes, Your Honor, we are.

THE COURT: Please proceed.

MR. BUNT: The following Plaintiff exhibits were used yesterday: No. 36 -- all these are Plaintiff's exhibits -- No. 36, 39, 43, 44, 57, 143, 195, 1062, 1186, and 1187.

THE COURT: All right. Is there any objection from Defendant as to that rendition from Plaintiff?

MR. UNDERWOOD: No objection, Your Honor.

THE COURT: Does Defendant have anything to add?

MR. UNDERWOOD: We do. The following Defendant's exhibits were used, DTX-3, DTX-4, and DTX-8.

THE COURT: All right. Does Plaintiff have any objection to that offer from Defendant?

MR. BUNT: No, Your Honor.

THE COURT: All right, counsel. Thank you.

MR. SHEASBY: Your Honor, one issue before we begin. Can Mr. Brady have a copy of the exhibits he's

08:53:20 1 going to be cross-examined on, and can we have them, as
08:53:23 2 well?

08:53:23 3 THE COURT: Do you not have them?

08:53:25 4 MR. SHEASBY: We do not.

08:53:26 5 THE COURT: I mean, that issue came up yesterday
08:53:27 6 at the bench, and I would assume it would have been
08:53:29 7 resolved.

08:53:31 8 MR. HILL: Your Honor, what they're asking for is
08:53:33 9 special binders for the witness. They have the exhibits.
08:53:36 10 I don't have to identify on cross which exhibits I want to
08:53:39 11 use with the witness in advance. That's what's being
08:53:43 12 requested.

08:53:43 13 I will represent, though, to the Court I don't
08:53:44 14 think I'm going to hit any new Defendant exhibits. I think
08:53:47 15 the only exhibits will be other Plaintiff exhibits that
08:53:50 16 have been used with the witness in his direct. So I don't
08:53:53 17 think we're going to run into an issue. But I'll -- I
08:53:56 18 mean, I can check and see, but it's -- that's the issue.
08:53:59 19 It's not that they don't have our exhibits.

08:54:01 20 MR. SHEASBY: Your Honor, Mr. Brady is entitled to
08:54:03 21 have in front of him a physical copy of what he's going to
08:54:07 22 be examined on. It's been the case in every other
08:54:10 23 examination in this trial and the last one. And I do not
08:54:13 24 understand why this has become an issue.

08:54:15 25 THE COURT: Typically, on direct and on cross,

08:54:17 1 each side gets up and passes out a bound binder that the
08:54:21 2 witness is going to have before them on the direct and then
08:54:23 3 on the cross. Are we not doing that here for some reason?

08:54:27 4 MR. HILL: Your Honor, I'm told it's right here,
08:54:30 5 and I can pass it over. For cross-examination, that's not
08:54:32 6 my typical practice, Judge. And even in this Court, and
08:54:35 7 I've done it this way many times without complaint, so...

08:54:43 8 THE COURT: Well, if the witness is going to be
08:54:45 9 asked questions about specific documents, the witness needs
08:54:48 10 to have a copy of that document available to him. If you
08:54:50 11 want to hand it up at the time so you don't telegraph where
08:54:54 12 you're going or if you want to put the binder up there so
08:54:57 13 that the witness can then turn to a specified page, the
08:55:00 14 witness is entitled to see what the witness is going to be
08:55:02 15 questioned about.

08:55:14 16 MR. HILL: Hang on, Judge, I'm told there's a
08:55:17 17 discrepancy --

08:55:19 18 THE COURT: If you're going to mutter in the back
08:55:21 19 of the room, it's not going to be picked up on the
08:55:23 20 transcript. Either speak up or don't speak.

08:55:42 21 Do we have Mr. Brady here? We do. Do you want to
08:55:47 22 return to the witness chair, Mr. Brady? I remind you you
08:55:51 23 remain under oath.

08:55:52 24 MR. HILL: All right. Judge, we have it coming
08:55:55 25 up.

08:55:56 1 THE COURT: All right. Before I bring the jury
08:55:57 2 in, I met with counsel this morning in chambers. I gave
08:56:00 3 counsel specific guidance. I'm going to review that on the
08:56:02 4 record at this time. This relates to the lengthy
08:56:05 5 discussion that Mr. Sheasby and Mr. Hill had with the Court
08:56:10 6 at the end of the day yesterday at the bench about the
08:56:14 7 proper approach to cross-examining Mr. Brady by the
08:56:18 8 Defendant concerning the asserted claims and the other
08:56:28 9 issues that we discussed at the bench yesterday about the
08:56:30 10 continuing cross-examination.

08:56:31 11 My guidance in that regard is as follows: The
08:56:34 12 Defendants should focus on non-infringing alternatives
08:56:39 13 which achieve the same or similar benefits as are described
08:56:42 14 by the patents.

08:56:43 15 Defendants may not describe how each element of
08:56:47 16 the patent is known in the prior art, however. To do so
08:56:52 17 would risk the jury taking that testimony as evidence as
08:56:56 18 obviousness, even though the references being relied on by
08:56:59 19 the Defendant are not presently asserted as prior art.

08:57:01 20 Allowing the Defendant to probe non-infringing
08:57:05 21 alternatives, let's them fully explore Georgia-Pacific
08:57:07 22 Factor 9 and the additional value of the patent over the
08:57:10 23 prior art without risking jury confusion as to whether this
08:57:15 24 testimony or the references cited go to obviousness and
08:57:18 25 invalidity.

08:57:18 1 The Court notes that with the parties' approval
08:57:24 2 and consent, it instructed the jury yesterday on the
08:57:27 3 anticipation and obviousness and invalidity in general,
08:57:31 4 even though the Court was advised yesterday in the middle
08:57:34 5 of the trial that the posture of the parties with regard to
08:57:38 6 those invalidity defenses was substantially different.

08:57:41 7 The Court's mindful of the Exmark decision cited
08:57:45 8 by the Defendant and -- but finds it to be distinguishable
08:57:50 9 in this particular case. In Exmark the Court found that
08:57:55 10 the patent, quote, made clear, close quote, that the
08:57:57 11 patented improvement was only related to a single element
08:58:00 12 and focusing on that element was appropriate. That is not
08:58:03 13 the case here. The Plaintiff has made no such concession,
08:58:10 14 and to follow that same approach, would be improper in the
08:58:12 15 Court's view.

08:58:12 16 The Court reaches the conclusion and gives this
08:58:15 17 guidance in large part based on the guidance and provisions
08:58:22 18 of Federal Rule of Evidence 403, finding that having
08:58:25 19 already instructed the parties on anticipation,
08:58:27 20 obviousness, and invalidity in general, the continued
08:58:30 21 examination by the Defendant as undertaken yesterday would
08:58:33 22 unavoidably create jury confusion and have a prejudicial
08:58:38 23 effect not otherwise easily cured.

08:58:41 24 That's the guidance, in essence, I gave the
08:58:43 25 parties in chambers. That's the guidance I'm giving the

08:58:46 1 parties as to the continued cross-examination and redirect
08:58:48 2 of this witness, Mr. Brady.

08:58:50 3 All right. With that, let's bring in the jury.

08:59:04 4 Mr. Hill, you may return to the podium if you'd
08:59:07 5 like.

08:59:07 6 MR. HILL: Thank you, Your Honor.

08:59:09 7 COURT SECURITY OFFICER: All rise.

08:59:44 8 (Jury in.)

08:59:45 9 THE COURT: Good morning, ladies and gentlemen.
08:59:52 10 Please be seated.

08:59:52 11 Welcome back, members of the jury. We will
08:59:57 12 continue this morning with the Defendant's
09:00:00 13 cross-examination of the witness, Mr. John Brady.

09:00:04 14 Mr. Hill, you may continue with your
09:00:05 15 cross-examination.

09:00:06 16 MR. HILL: Thank you, Your Honor.

09:00:06 17 JOHN BRADY, PLAINTIFF'S WITNESS, PREVIOUSLY SWORN

09:00:06 18 CROSS-EXAMINATION CONTINUED

09:00:06 19 BY MR. HILL:

09:00:06 20 Q. Good morning, Mr. Brady.

09:00:10 21 A. Good morning, Mr. Hill.

09:00:10 22 Q. Mr. Brady, let's talk about how USAA moved from
09:00:16 23 scanners to mobile phones and when that occurred, okay?

09:00:21 24 A. Okay.

09:00:21 25 Q. Now, the very first prototype for the Deposit@Home

09:00:27 1 product was a flatbed scanner, correct?

09:00:30 2 A. That was correct.

09:00:31 3 Q. It wasn't a digital camera, right?

09:00:33 4 A. It was a flatbed scanner.

09:00:34 5 Q. All right. Not a mobile phone?

09:00:37 6 A. Flatbed scanner.

09:00:39 7 Q. And that prototype was demonstrated to you by a
09:00:42 8 gentleman named Bharat Prasad in 2005; is that right?

09:00:45 9 A. That's right.

09:00:45 10 Q. And just so we're clear, Mr. Prasad, he's one of the
09:00:49 11 two inventors that are named on these two patents, right?

09:00:52 12 A. He's one of multiple inventors.

09:01:00 13 Q. I said one of the two. I apologize. He is named on
09:01:00 14 both, right?

09:01:00 15 A. Yes, he is.

09:01:00 16 Q. Okay. And Mr. Prasad, he's still with USAA today,
09:01:04 17 correct?

09:01:04 18 A. Correct.

09:01:04 19 Q. Still works there?

09:01:05 20 A. Correct.

09:01:05 21 Q. All right. Now, when it launched in the summer of
09:01:09 22 2006, Deposit Home was still focused on flatbed scanners,
09:01:20 23 right?

09:01:20 24 A. I'm not sure I would agree with that.

09:01:20 25 Q. Okay. Well, as of October 25th, 2006, in an email on

09:01:21 1 which you were copied, USAA engineers said that they will
09:01:25 2 need to determine if we should allow devices other than
09:01:30 3 scanners. Do you recall that email?

09:01:31 4 A. I do. I think there's more to it than that.

09:01:34 5 Q. Okay. Well, let's take a look at that email. That's
09:01:37 6 Plaintiff's Exhibit 36. I believe you talked about it in
09:01:39 7 your direct examination; do you recall it? We've got it
09:01:43 8 here on the screen.

09:01:44 9 MR. HILL: And if we can go to the last page of
09:01:50 10 it.

09:01:51 11 Q. (By Mr. Hill) And here's the portion of the email at
09:01:53 12 the top that I was referring to. This appears on -- I
09:01:57 13 believe the top of the last page.

09:01:59 14 And it states: Thought you might find this
09:02:04 15 interesting. Looks like we have some creative members. We
09:02:07 16 had discussed the fact that any TWAIN capable device should
09:02:10 17 work but had not tried this in the lab. We will need to
09:02:14 18 determine if we should allow devices other than scanners
09:02:17 19 and, if so, what the risk/issues will be.

09:02:20 20 Do you see that, sir?

09:02:21 21 A. I do see that.

09:02:22 22 Q. And that email was sent on October 25th, 2006, correct?

09:02:27 23 A. That is correct.

09:02:30 24 Q. And if we look at the -- well, we'll check that in a
09:02:34 25 minute, but five days -- this date is five days before the

09:02:38 1 specifications that we're talking about in this case that
09:02:46 2 are at issue for these patents, five days before those?
09:02:50 3 This was just five days before it, right?

09:02:52 4 A. Five days before October 31st, yes.

09:02:55 5 Q. Right. Five days before those specifications will be
09:02:58 6 filed with the Patent Office, correct?

09:02:59 7 A. Yes.

09:03:01 8 Q. And the USA engineer -- USAA engineers wrote to you and
09:03:09 9 they said, we will need to determine if we should allow
09:03:12 10 devices other than scanners, correct?

09:03:14 11 A. I don't think that's completely accurate, no.

09:03:16 12 Q. Let's see if you can answer my question, Mr. Brady.

09:03:19 13 The USAA engineers, they wrote to you --

09:03:23 14 THE COURT: Mr. Hill, if you think the witness is
09:03:24 15 being non-responsive to your question, raise it with me.

09:03:28 16 Don't tell him, you think you can answer my question.

09:03:32 17 MR. HILL: Yes, sir, Your Honor.

09:03:33 18 THE COURT: All right.

09:03:33 19 Q. (By Mr. Hill) Mr. Brady, let's look at what they wrote
09:03:34 20 here. The email writing to you says: We will need to
09:03:35 21 determine if we should allow devices other than scanners,
09:03:39 22 correct?

09:03:39 23 A. This was not one of our engineers. That's what I'm
09:03:46 24 having trouble with.

09:03:48 25 Q. All right.

09:03:48 1 A. This is not one of our engineers.

09:03:50 2 MR. HILL: Let's look at the top of this email,
09:03:51 3 the "to" line, if we can, Mr. Bakale.

09:03:55 4 Q. (By Mr. Hill) This is from a gentleman named Rickey
09:03:58 5 Burks; is that right?

09:03:59 6 A. That's correct.

09:03:59 7 Q. And who is Mr. Burks?

09:04:01 8 A. Rickey was my manager at the time.

09:04:05 9 Q. Your manager?

09:04:06 10 A. Yes.

09:04:06 11 Q. Okay. And you were -- so he was your boss?

09:04:11 12 A. Yes.

09:04:11 13 Q. I'm with you.

09:04:12 14 THE COURT: Mr. Brady, you remember yesterday me
09:04:14 15 asking you not to refer to individuals by first name only.

09:04:17 16 THE WITNESS: I apologize again, I'm sorry.

09:04:19 17 THE COURT: I understand this is the first time
09:04:21 18 you've testified in Court, and I understand that may not be
09:04:24 19 the usual way you speak, but it's important that we not
09:04:27 20 talk about individuals by first name only.

09:04:29 21 THE WITNESS: I apologize. I'm sorry.

09:04:31 22 THE COURT: Okay. Let's continue.

09:04:33 23 Q. (By Mr. Hill) And this entire email string, Mr. Brady,
09:04:41 24 five days before the first applications were filed was the
09:04:41 25 first time that someone had used something other than a

09:04:44 1 scanner in the Deposit@Home project, correct?

09:04:46 2 A. It's the first time one of our members had used
09:04:53 3 something.

09:04:53 4 Q. And that individual was not a USAA engineer; it was a
09:04:54 5 member, correct?

09:04:55 6 A. That's correct.

09:04:55 7 Q. And they used a digital camera, right?

09:04:57 8 A. Uh-huh.

09:04:58 9 Q. They didn't --

09:04:59 10 A. Yes.

09:04:59 11 Q. -- use a mobile phone?

09:05:03 12 A. No.

09:05:04 13 Q. And it was a digital camera, we know, that had to be
09:05:07 14 connected to a computer through a TWAIN driver, correct?

09:05:11 15 A. That was the way we did it.

09:05:16 16 Q. And a TWAIN driver, that's a piece of software that
09:05:20 17 connects two different devices together, right?

09:05:23 18 A. That's a -- that's an example, yes.

09:05:25 19 Q. For instance, if I have my printer and I've got my
09:05:29 20 computer, I'll have to have a driver usually to have those
09:05:33 21 two things communicate; isn't that right?

09:05:35 22 A. It's often -- you often need a driver for
09:05:39 23 communication.

09:05:40 24 Q. Now, at that same time in the same email string,
09:05:44 25 Mr. Brady, Plaintiff's Exhibit 36, a phone is brought up,

09:05:48 1 correct?

09:05:48 2 A. That is correct.

09:05:51 3 Q. So if we look at the next line up in the email, the

09:05:56 4 next piece of the string, we see an email from Mr. Luby.

09:06:06 5 Do you see that, sir?

09:06:07 6 A. I see that.

09:06:08 7 Q. Who is Mr. Luby?

09:06:10 8 A. Mr. Luby was the -- the president of the bank.

09:06:15 9 Q. And Mr. Luby was president of the USAA bank at the time

09:06:21 10 this was written?

09:06:21 11 A. Yes.

09:06:21 12 Q. All right. And Mr. Luby is not an inventor on any of

09:06:24 13 these patents, is he?

09:06:25 14 A. No, he is not.

09:06:26 15 Q. And Mr. Luby says here in his email: How about a

09:06:32 16 phone? And asked: Could we get enough resolution and a

09:06:39 17 TWAIN driver interface to a phonecam?

09:06:43 18 Do you see that?

09:06:43 19 A. That's what Mr. Luby is asking.

09:06:47 20 Q. But then Mr. Huth, he replies back to Mr. Luby in the

09:06:51 21 next email up the chain, doesn't he?

09:06:54 22 A. Yes, he does.

09:06:57 23 Q. And if we look at that, he replies back, and he says

09:07:00 24 that camera phones aren't typically high quality enough

09:07:05 25 photos.

09:07:10 1 Let that thing start moving around. Sorry about
09:07:11 2 that, Mr. Brady.

09:07:11 3 That's what he says in his response, correct?

09:07:18 4 A. That's what he says.

09:07:20 5 Q. He goes on to say: But there is software to enhance
09:07:27 6 that. We would have to see how to automate that.

09:07:29 7 Do you see that?

09:07:30 8 A. I see that. We knew we needed to improve that for our
09:07:35 9 members.

09:07:35 10 Q. And Mr. Huth, he is one of the inventors on the
09:07:43 11 patents, correct?

09:07:43 12 A. Yes, he is.

09:07:44 13 Q. Now, he makes mention to a piece of this email a little
09:07:49 14 further down where it references of Mike Morris. You
09:07:52 15 talked about that yesterday, didn't you, sir?

09:07:53 16 A. I did, yes.

09:07:55 17 Q. He says: Mike Morris did -- did check and use of a
09:07:58 18 camera to capture the image is included in our patent
09:08:00 19 application.

09:08:01 20 Do you recall that?

09:08:01 21 A. I do. Yes, it is.

09:08:03 22 Q. And that's the patent applications that were ultimately
09:08:10 23 filed as part of this October 31, 2006, filing, correct?

09:08:12 24 A. That is correct.

09:08:13 25 Q. And it doesn't say Mr. Morris checked for the use of a

09:08:19 1 mobile phone, does it?

09:08:19 2 A. Mobile phones have cameras.

09:08:22 3 MR. SHEASBY: Your Honor, I object.

09:08:24 4 THE COURT: State your objection.

09:08:25 5 MR. SHEASBY: This is a violation of the Court's
09:08:28 6 ruling on proper written description. The word "mobile
09:08:33 7 phone" did not occur in the claims of the patent. Mobile
09:08:38 8 phone does not appear in the claims of the patent.

09:08:40 9 THE COURT: You're going to have to speak up,
09:08:42 10 Mr. Sheasby.

09:08:42 11 MR. SHEASBY: Sir, the word "mobile phone" does
09:08:44 12 not appear in the claims of the patent. It's a mobile
09:08:47 13 device or portable device. This could only go to a
09:08:50 14 stricken defense. This has nothing to do with their
09:08:53 15 defense on written description.

09:08:54 16 THE COURT: What's your response, Mr. Hill?

09:08:56 17 MR. HILL: Your Honor, I'm asking a witness about
09:08:58 18 an admitted exhibit that he discussed in his direct
09:09:01 19 examination, and we're exploring what it says and what it
09:09:04 20 doesn't say. I'm not making any argument about it. I'm
09:09:07 21 not characterizing it in any way. I'm establishing what is
09:09:11 22 the contents of a document. That's proper
09:09:13 23 cross-examination.

09:09:14 24 THE COURT: Restate your question for the witness.

09:09:16 25 Q. (By Mr. Hill) Mr. Brady, what he says here is we

09:09:19 1 checked for the use of a camera to capture the image is
09:09:22 2 included in our patent application. What he does -- he
09:09:24 3 does not say a mobile phone, does he?

09:09:27 4 MR. SHEASBY: Your Honor, objection. The claims
09:09:29 5 do not require the use of a mobile phone. This is
09:09:32 6 improper.

09:09:32 7 THE COURT: I'm going to overrule the objection.

09:09:35 8 You can answer the question, Mr. Brady.

09:09:37 9 A. That sentence, this -- this email is discussing mobile
09:09:46 10 phones. That -- you're asking if that sentence has it.
09:09:49 11 That sentence does not, but this email is discussing mobile
09:09:54 12 phones.

09:09:54 13 Q. (By Mr. Hill) So, again, if we look at the comment
09:10:00 14 above from Mr. Huth -- Mr. Huth, excuse me, he says:
09:10:05 15 Camera phones aren't typically high quality photos.

09:10:08 16 Right?

09:10:08 17 A. There were camera phones at the time that were high
09:10:12 18 enough quality. That's what he says, yes.

09:10:14 19 MR. HILL: Objection, nonresponsive, Your Honor.
09:10:16 20 Move to strike.

09:10:20 21 THE COURT: The question, Mr. Brady, is: Does it
09:10:25 22 say that the camera phones typically aren't high enough
09:10:29 23 quality?

09:10:29 24 And your answer was: There were phones that were
09:10:32 25 high enough quality. And that's non-responsive. You need

09:10:37 1 to -- I'm going to sustain the objection. You need to
09:10:40 2 answer the question as asked.

09:10:41 3 Mr. Sheasby is going to get an opportunity to ask
09:10:43 4 you follow-up questions after Mr. Hill is finished. And if
09:10:47 5 Mr. Sheasby thinks there's something that needs to be
09:10:49 6 revisited or clarified, he's certainly entitled to ask you
09:10:51 7 about it again. So try to limit your answers to the
09:10:54 8 specific question that's asked, all right?

09:10:55 9 THE WITNESS: Okay. Thank you for the
09:10:57 10 clarification, sir.

09:10:58 11 Q. (By Mr. Hill) Mr. Brady, the email reads: Camera
09:11:01 12 phones typically aren't high quality photos.

09:11:04 13 Correct?

09:11:04 14 A. Yes, that's what it says.

09:11:06 15 Q. And then when we look below, when he references Mike
09:11:09 16 Morris, he says: Mike Morris did check, and the use of a
09:11:14 17 camera -- right? That's what he says?

09:11:20 18 A. That's what he says.

09:11:21 19 Q. Doesn't say camera phone, does it?

09:11:23 20 A. It says camera.

09:11:24 21 Q. Now, USAA didn't start experimenting with digital
09:11:36 22 phones or camera phones until 2007; isn't that correct,
09:11:39 23 sir?

09:11:39 24 A. Yes, we started up a project in 2007.

09:11:41 25 Q. And 2007 was after the October 31, 2006, patent

09:11:44 1 specifications had already been filed, correct?

09:11:47 2 A. Yes, it was.

09:11:48 3 Q. Now, in fact, Mr. Brady, let's talk about what else
09:12:02 4 happened at USAA after -- after the filing of these
09:12:09 5 applications on October 31, 2006.

09:12:10 6 Now, on -- in November 2006, again, after the
09:12:14 7 filing of these applications, USAA started to think about
09:12:18 8 Deposit@Home Phase 2; isn't that right?

09:12:22 9 A. I'm sorry, the date again?

09:12:23 10 Q. In November of '06?

09:12:25 11 A. I believe that was about right, yes.

09:12:28 12 Q. And let me -- let me show you an exhibit to help with
09:12:31 13 that.

09:12:34 14 MR. HILL: Plaintiff's Exhibit 72, if we can,
09:12:37 15 Mr. Bakale.

09:12:38 16 Q. (By Mr. Hill) Do you recognize this document, sir?

09:12:40 17 MR. SHEASBY: Your Honor, may the witness have a
09:12:42 18 copy of the exhibit?

09:12:43 19 MR. HILL: Sure. I'm sorry, Your Honor.

09:12:44 20 May I approach to hand the witness a copy?

09:12:48 21 THE COURT: You may approach. Hand the document
09:12:50 22 to the Court Security Officer.

09:12:54 23 THE WITNESS: Thank you.

09:12:54 24 Q. (By Mr. Hill) Mr. Huth [sic], in that notebook there,
09:13:02 25 you'll find a copy of Plaintiff's Exhibit 72. Let me know

09:13:06 1 when you've located it.

09:13:09 2 A. Okay. I see it.

09:13:10 3 Q. Do you recognize that? Can you tell me what that

09:13:10 4 document --

09:13:14 5 A. I don't -- I don't recall seeing this before, no. But

09:13:15 6 I -- I see it.

09:13:16 7 Q. Is this a document that USAA would have generated?

09:13:20 8 A. This looks like one of our documents, yes.

09:13:24 9 Q. And it state that it was a -- a draft presentation by

09:13:28 10 Troy Huth, correct?

09:13:32 11 A. Yes, that's what it says.

09:13:34 12 Q. And also a Mr. Mawyer? Did I read that right?

09:13:40 13 A. Yes, Mr. Mawyer.

09:13:43 14 Q. Are both of those gentlemen named inventors on these

09:13:47 15 patents?

09:13:47 16 A. Not both of them.

09:13:52 17 Q. Just Mr. Huth?

09:13:53 18 A. Just Mr. Huth.

09:13:54 19 Q. All right. And so in November 2006 when this document

09:14:03 20 was created, there's no mention whatsoever of phones,

09:14:07 21 mobile phones, or camera phones in this draft, is there,

09:14:10 22 sir? Feel free to page through it.

09:14:13 23 We'll do the same.

09:14:14 24 MR. HILL: Can we see the next page, Mr. Bakale?

09:14:18 25 This is the second page, third -- slow down, Mr. Bakale,

09:14:22 1 please -- the fourth, the fifth. I believe that's the end.

09:14:35 2 Q. (By Mr. Hill) No mention of phones, mobile phones, or
09:14:39 3 camera phones in that draft, is there, Mr. Brady?

09:14:41 4 A. Are you asking about the word "mobile phone," or are
09:14:43 5 you asking about concepts related to mobile phone?

09:14:46 6 Q. I'm asking does mobile phones, camera phones, or --

09:14:50 7 MR. SHEASBY: Your Honor, may we approach?

09:14:52 8 THE COURT: Approach the bench.

09:14:53 9 (Bench conference.)

09:15:02 10 MR. SHEASBY: Your Honor, I request a limiting
09:15:04 11 instruction. The word "mobile phones," "camera phones"
09:15:07 12 appear nowhere in the patent. This is an improper written
09:15:09 13 description argument, and it could only go to enablement,
09:15:12 14 which is not at issue in this case. This is not proper
09:15:14 15 examination of this witness.

09:15:16 16 The only reason that use of mobile phone would be
09:15:18 17 relevant is if, one, that's what the claim required, or,
09:15:21 18 two, if it went to enablement. They have no enablement
09:15:25 19 defense. And the claims don't require this. I would ask
09:15:27 20 respectfully for a limiting instruction to disregard all
09:15:30 21 this testimony. The date on which a mobile phone was used
09:15:33 22 has no relevance to possession of a claim that relates
09:15:36 23 generically to a mobile device or --

09:15:40 24 MS. GLASSER: There's actually a motion in limine
09:15:41 25 on this exact topic to not bring enablement into the case.

09:15:46 1 THE COURT: All right. First of all, if there's a
09:15:50 2 motion in limine, I need to hear about it from --
09:15:52 3 Ms. Glasser, my point is, this is Mr. Sheasby's witness.
09:15:58 4 I'm not going to let the Defendants tag team me with six
09:16:01 5 different lawyers contributing to the objection. And you
09:16:03 6 shouldn't contribute to Mr. Sheasby's. If you want to
09:16:06 7 suggest to him that he raise something with me when he
09:16:09 8 comes to the bench, that's fine. But we don't have time to
09:16:12 9 have a community communication.

09:16:14 10 What's your response, Mr. Hill?

09:16:16 11 MR. HILL: Your Honor, this is a Plaintiff's
09:16:19 12 exhibit they've put into evidence and that I am questioning
09:16:22 13 a fact witness about its contents. That is perfectly
09:16:26 14 permissible cross. I haven't suggested anything in my
09:16:28 15 questions. I've asked him: What does the document reflect
09:16:32 16 and what doesn't it reflect?

09:16:33 17 Now, they may have arguments about what we can do
09:16:36 18 with that in argument later, but in terms of putting this
09:16:39 19 evidence that they've put in the record in front of their
09:16:41 20 witness and asked him about the contents of the document,
09:16:44 21 there is nothing objectionable about that.

09:16:47 22 And more importantly, it does not go to an
09:16:49 23 enablement defense. What it goes to is to show its context
09:16:53 24 for our written description defense, Your Honor. We say
09:16:55 25 they didn't write down the invention. And there's a reason

09:16:58 1 they didn't write it down. They don't talk about it
09:17:01 2 anywhere. And it's more credible that they didn't write it
09:17:04 3 down if they'd also -- don't seem to be talking about it
09:17:07 4 until after the fact.

09:17:08 5 That is circumstantial evidence of what was going
09:17:11 6 on, why they wrote what they wrote, how they wrote what
09:17:14 7 they wrote, and we say what they wrote doesn't reflect the
09:17:18 8 full scope of the invention. And this document will
09:17:21 9 ultimately go to that.

09:17:23 10 Now, that's argument. And I haven't made that
09:17:24 11 argument through this witness. I've asked him fact
09:17:26 12 questions.

09:17:27 13 MR. SHEASBY: He asked: Does the word "mobile
09:17:29 14 phone" occur in the patent? Sorry. He asked: Does mobile
09:17:33 15 phone occur in the patent? It's out there. I did not
09:17:35 16 introduce this document. This only goes to enablement.
09:17:38 17 This is no -- written description is based on what is in
09:17:42 18 the specification.

09:17:42 19 THE COURT: All right. I've heard enough. I've
09:17:43 20 heard enough, counsel. I'm not going to instruct the jury
09:17:46 21 at this point. I'm going to direct the Plaintiff to
09:17:48 22 address it in whatever manner the Plaintiff thinks is
09:17:52 23 proper through their redirect examination of the witness.

09:17:54 24 But at this point, I'm going to overrule the
09:17:56 25 objection.

09:17:57 1 MR. SHEASBY: Thank you, Your Honor.

09:17:57 2 (Bench conference concluded.)

09:18:11 3 THE COURT: All right.

09:18:12 4 Q. (By Mr. Hill) All right, Mr. Brady --

09:18:14 5 MR. HILL: I'm sorry, Your Honor.

09:18:15 6 THE COURT: Let's proceed.

09:18:16 7 Q. (By Mr. Hill) Mr. Brady, this document that I had you
09:18:21 8 looking at, Plaintiff's Exhibit 72, doesn't mention phones,
09:18:24 9 mobile phones, or camera phones, doesn't contain those
09:18:27 10 words, does it?

09:18:28 11 A. I -- it does not contain the words. I think it
09:18:31 12 contains concepts related to that.

09:18:34 13 THE COURT: Mr. Brady, he didn't ask you if it
09:18:37 14 contained concepts related to that. Again, limit your
09:18:43 15 answers to the questions asked.

09:18:44 16 THE WITNESS: I apologize.

09:18:44 17 THE COURT: Let's continue, Mr. Hill.

09:18:46 18 Q. (By Mr. Hill) And it's not until December 2007,
09:18:48 19 Mr. Brady, over a year after these specifications were
09:18:52 20 filed in 2006, that there is a reference to a mobile phone
09:18:56 21 for the first time in USAA's internal documentation. Do
09:19:01 22 you know that, sir?

09:19:01 23 A. December 2006? Is that what you said?

09:19:05 24 Q. No, sir. I said it's over a year --

09:19:07 25 A. What date did you say?

09:19:08 1 Q. Until December 2007.

09:19:10 2 A. I don't think that's accurate, no.

09:19:11 3 Q. Well --

09:19:11 4 THE COURT: Gentlemen, it's important that you
09:19:14 5 speak one at a time. The court reporter can't accurately
09:19:18 6 transcribe what's said when both of you are talking at the
09:19:22 7 same time. You both need to make sure the other is not
09:19:25 8 talking before you start talking, all right?

09:19:27 9 Q. (By Mr. Hill) Mr. Brady --

09:19:28 10 MR. HILL: I'm sorry, Your Honor.

09:19:29 11 THE COURT: Go ahead, Mr. Hill.

09:19:32 12 MR. HILL: I'm talking over you now, I apologize.

09:19:34 13 THE COURT: I don't want anybody talking over
09:19:36 14 anybody.

09:19:36 15 MR. HILL: Yes, sir.

09:19:37 16 Q. (By Mr. Hill) If we look at Plaintiff's Exhibit 1152,
09:19:41 17 Mr. Brady, it's also in the notebook I handed you, this is
09:19:50 18 an email from Mr. Prasad -- or, excuse me, a presentation
09:19:55 19 by Mr. Prasad dated December 3rd, 2007; do you see that?

09:20:00 20 A. I see that.

09:20:05 21 Q. And if we look at Page 12, and this is discussing, it
09:20:13 22 said on the first page, Deposit@Home Next Generation. Do
09:20:17 23 you recall what Deposit@Home Next Generation was?

09:20:20 24 A. Yes, I do.

09:20:21 25 Q. What was that?

09:20:22 1 A. It was a continuation of our -- of our Deposit@Home
09:20:29 2 efforts.

09:20:29 3 Q. And in this document, Mr. Brady, there is a reference
09:20:35 4 to an iPhone on Page 12; do you see that, sir?

09:20:40 5 A. I see that.

09:20:41 6 Q. Now, you previously admitted USAA -- admitted USAA did
09:20:57 7 not start experimenting with digital phones or camera
09:21:00 8 phones until 2007; isn't that right, sir?

09:21:02 9 A. That is correct.

09:21:03 10 Q. Now, Mr. Brady, earlier, you talked a little bit with
09:21:19 11 Mr. Sheasby yesterday -- it wasn't earlier today, but
09:21:23 12 yesterday -- you talked about with Mr. Sheasby some
09:21:24 13 portions of the patents in this suit; do you recall?

09:21:27 14 A. Yes.

09:21:27 15 Q. You talked about portions of the specifications from
09:21:32 16 the '605 and the '608 [sic] patents; do you remember that?

09:21:34 17 A. I did, yes.

09:21:36 18 Q. Well, in the context of that, Mr. Sheasby [sic], I
09:21:40 19 noticed, it seemed like you had a copy of patents that
09:21:42 20 looked a little different than my copy of the patents. Do
09:21:45 21 you have a copy of those patents up there with you?

09:21:47 22 A. The '681 and the '605 patents?

09:21:51 23 Q. Yes, sir.

09:21:52 24 A. I have -- I have copies, yes.

09:21:54 25 Q. What I noticed were your copies of the patents seemed

09:21:56 1 to have a number of tabs throughout them; am I right?

09:22:02 2 A. Yes.

09:22:02 3 Q. Can you -- can you hold that up where we can see it?

09:22:06 4 A. Yes.

09:22:06 5 Q. What are those tabs?

09:22:08 6 A. These are references to the specifications.

09:22:13 7 Q. All right. And are there -- are there notes in your
09:22:18 8 patents?

09:22:18 9 A. There's maybe one or two notes, yes.

09:22:23 10 Q. Okay. And what are these notes?

09:22:26 11 A. I'm -- these are notes to help me remember. I -- I'm
09:22:32 12 getting a little older. I don't always remember things.

09:22:37 13 Q. Okay. So this didn't come out in your direct
09:22:39 14 yesterday. So in the testimony you were giving Mr. Sheasby
09:22:41 15 about the contents of this patent, you were basing that
09:22:46 16 upon a marked-up version of the patents that has your own
09:22:50 17 tabs and internal notes in it?

09:22:52 18 A. I was looking at the exhibits.

09:22:56 19 Q. How did you prepare those notes, Mr. -- Mr. Brady?

09:22:59 20 A. I read through the patents.

09:23:02 21 Q. And just -- that's just notes you made on your own?

09:23:04 22 A. I made those notes on my own, yes.

09:23:07 23 Q. Okay. All right.

09:23:08 24 MR. HILL: All right. Your Honor, may I approach
09:23:10 25 and retrieve those copies of the patents from the witness?

09:23:13 1 THE COURT: Is there objection?

09:23:14 2 MR. SHEASBY: There's no objection, Your Honor.

09:23:18 3 THE COURT: All right. You may approach the
09:23:20 4 witness.

09:23:23 5 MR. HILL: Thank you, Your Honor.

09:23:46 6 Q. (By Mr. Hill) Now, Mr. Brady --

09:23:50 7 MR. HILL: If I can use the document camera,
09:23:52 8 Ms. Lockhart. Thank you.

09:23:54 9 Q. (By Mr. Hill) Mr. Brady, if we look here, what I've
09:23:57 10 retrieved from you is a copy of the patent that you had in
09:24:06 11 hand, and we've got some underlining and a reference to
09:24:12 12 Troy Huth here on the first page, and we've got that series
09:24:15 13 of tabs that I noticed. Do you see that?

09:24:17 14 A. I do, yes.

09:24:17 15 Q. And those are your tabs to flag what?

09:24:20 16 A. They're to help me remember where -- where things are.

09:24:23 17 Q. Okay. And I noticed also here there's a number of --
09:24:29 18 this is Column 8. Column 8 is a portion of the patent you
09:24:34 19 discussed yesterday, right?

09:24:37 20 A. Yes.

09:24:40 21 Q. And, in fact, if we look at this, what we see is
09:24:43 22 Column 8, this portion in here, we've got that reference to
09:24:47 23 PDAs; do you see that?

09:24:48 24 A. I see that, yes.

09:24:49 25 Q. You talked about that expressly, didn't you?

09:24:51 1 A. I did, yes.

09:24:52 2 Q. Talked about it there and there. Now, what are these
09:24:59 3 numbers on the sides?

09:25:00 4 A. Those are the line numbers.

09:25:04 5 Q. Line numbers for the patent?

09:25:08 6 A. Yes, you can see the line numbers going down the
09:25:11 7 bottom. They're going down the middle.

09:25:12 8 Q. You're corresponding with the center column there?

09:25:15 9 A. Yes, yes.

09:25:16 10 Q. Now, Mr. Brady, why did you need help remembering
09:25:29 11 what's in this specification?

09:25:31 12 A. As I mentioned, I'm getting older, and I -- I -- I need
09:25:35 13 help refreshing my memory at times.

09:25:38 14 Q. And just to make clear, you didn't participate in the
09:25:42 15 writing of this specification, did you?

09:25:43 16 A. I did not write the words.

09:25:47 17 Q. Because you're not an inventor on the patents, are you?

09:25:49 18 A. I was not an inventor on those patents.

09:25:51 19 Q. And if USAA wanted to bring an inventor here live to
09:25:56 20 testify, they could do that, right?

09:25:57 21 A. We're going to have videos, but, yes.

09:26:01 22 Q. Okay. We may see some video from some of these
09:26:05 23 inventors?

09:26:05 24 A. Yes.

09:26:06 25 Q. But there's -- there's, what, 10 inventors on these two

09:26:09 1 patents -- these two patents?

09:26:10 2 A. Yes, there are.

09:26:12 3 Q. And of those, how many of them still work at USAA?

09:26:16 4 A. I'm not sure I recall the number exactly. There's
09:26:26 5 several people that have retired.

09:26:28 6 Q. Okay. All right.

09:26:30 7 MR. HILL: Your Honor, can I approach and hand the
09:26:31 8 witness back this?

09:26:33 9 THE COURT: Yes, hand it back to him.

09:26:36 10 Q. (By Mr. Hill) Now, Mr. Brady, I want to look at some
09:26:43 11 of those portions of the patents, as well.

09:26:45 12 MR. HILL: If we can go back to our display
09:26:48 13 equipment, Ms. Lockhart. Thank you.

09:26:52 14 Q. (By Mr. Hill) Mr. Brady, in particular, I want to pull
09:26:55 15 up the '605 patent and I want to look --

09:27:01 16 THE COURT: Is that a question, Mr. Hill?

09:27:02 17 MR. HILL: I'm sorry, Your Honor.

09:27:03 18 THE COURT: Don't tell him what you want to talk
09:27:05 19 about. Ask him a question.

09:27:06 20 Q. (By Mr. Hill) Mr. Brady, can you take a look at
09:27:08 21 Column 8 of the '605 patent for me, sir?

09:27:15 22 A. Yes, I can.

09:27:16 23 Q. And let's look specifically at Lines 3 through 17. Do
09:27:23 24 you see that section of the patent, sir?

09:27:25 25 A. Yes, I do.

09:27:29 1 Q. And there we see in Column 8, Line 3 through 17, it
09:27:34 2 says: Figure 4 provides a schematic diagram of an
09:27:38 3 exemplary network or distributed computing environment, and
09:27:41 4 it goes on to describe that.

09:27:46 5 And then it has reference down here further on to
09:27:52 6 different devices such as PDAs, audio/video, MP3 players,
09:27:58 7 personal computers, et cetera. Do you see that?

09:28:00 8 A. Yes, I do.

09:28:03 9 Q. Those are portions of the specification that you
09:28:05 10 pointed to yesterday as a basis to say that this patent
09:28:10 11 disclosed mobile devices, correct?

09:28:14 12 A. Yes.

09:28:16 13 Q. Mr. Brady, is it USAA's position, as USAA's corporate
09:28:22 14 representative, that this material here is the inventive
09:28:30 15 work that the USAA inventors came up with and wrote down?

09:28:36 16 A. That's my understanding, yes.

09:28:40 17 Q. And you believe this was then written down by the USAA
09:28:44 18 inventors?

09:28:45 19 A. I think they were involved in the writing, yes.

09:28:55 20 MR. HILL: Your Honor, may we approach?

09:28:56 21 THE COURT: Approach the bench.

09:28:57 22 (Bench conference.)

09:29:10 23 MR. HILL: So, Your Honor, I approached because we
09:29:14 24 have a motion in limine that deals with unasserted patents.
09:29:18 25 What I plan to do here, what I just elicited from the

09:29:21 1 witness is USAA's position that its inventors wrote down
09:29:26 2 this part of the spec, but its inventors created this part
09:29:31 3 of the spec, is what they're pointing to for their written
09:29:33 4 description defense.

09:29:34 5 Your Honor, this portion of the specification was
09:29:36 6 not by USAA's inventors and goes to the credibility of USAA
09:29:42 7 and its witness who's claiming their folks wrote this.

09:29:45 8 THE COURT: When you say "this," and when you say
09:29:48 9 "this portion," you're talking about that list of --

09:29:48 10 MR. HILL: I'm talking --

09:29:51 11 THE COURT: -- devices that you've highlighted in
09:29:53 12 yellow?

09:29:54 13 MR. HILL: Your Honor, I'm talking about that --
09:29:56 14 I'm talking about this entire column of the patent is a cut
09:30:02 15 and paste verbatim copy of old Microsoft patents, including
09:30:07 16 figures, the whole shebang. And USAA has sponsored to this
09:30:13 17 jury now that they wrote it, that their inventors created
09:30:16 18 it and wrote it, and that's just not true. And it goes
09:30:20 19 directly to the credibility of this witness.

09:30:23 20 And if USAA is -- he is their corporate
09:30:26 21 representative, that they come to court and they tell the
09:30:29 22 jury that the portion of the patent that supports our
09:30:32 23 written description and that our inventors wrote to write
09:30:37 24 down the invention, it's right here, and it's not -- it's a
09:30:41 25 cut and paste copy of other things --

09:30:45 1 THE COURT: And you're asking for leave to
09:30:46 2 disclose those prior Microsoft patents?

09:30:48 3 MR. HILL: Correct. They have nothing to do with
09:30:51 4 prior art in this case. They're not even related to a
09:30:53 5 banking system. It is simply to show contradiction.
09:30:58 6 Impeachment by contradiction is what I'm doing, Your Honor.
09:31:01 7 That's proper impeachment.

09:31:02 8 THE COURT: What's your response, Mr. Sheasby?

09:31:04 9 MR. SHEASBY: Your Honor, first, it's a violation
09:31:06 10 of the motion in limines that have been granted by this
09:31:09 11 Court.

09:31:10 12 Second, it's actually not improper impeachment
09:31:12 13 because he didn't say the inventors wrote the words. He
09:31:15 14 said they participated in the preparation. And it's common
09:31:19 15 practice -- and we know it's common practice for patents to
09:31:22 16 be created in different ways through patent prosecutors.

09:31:26 17 And so this does not show that it's not their
09:31:28 18 invention. In fact, this is -- this -- this has no
09:31:31 19 relevance whatsoever to the question of possession.
09:31:34 20 This -- this is not impeaching information. This is
09:31:37 21 clearly going to confuse the jury. It's highly
09:31:40 22 prejudicial. He did not say the inventors wrote those
09:31:42 23 words. This is not proper.

09:31:44 24 It's a violation of the motion in limine. And
09:31:46 25 it's going -- now, we've already said that patent

09:31:50 1 prosecutors aren't coming, and the idea that we're now
09:31:53 2 going to have to explain to the jury in some way that
09:31:55 3 patent prosecutors craft applications in lots of different
09:31:58 4 ways.

09:31:59 5 In fact, it's -- he -- they're going to be
09:32:01 6 suggesting something that's the opposite. The fact that
09:32:04 7 PDAs and these types of devices were ubiquitous is the
09:32:08 8 reason why you have these types of sections in -- in the
09:32:11 9 specification.

09:32:12 10 And so I don't believe this is proper impeachment.
09:32:15 11 I think it's highly, highly prejudicial.

09:32:17 12 THE COURT: All right. I'm going to have to see
09:32:19 13 what you're talking about.

09:32:20 14 MR. HILL: Yes, Your Honor.

09:32:21 15 THE COURT: I haven't seen it. And to do this in
09:32:23 16 a way that I can clearly look at it --

09:32:25 17 MR. HILL: Yes, sir.

09:32:25 18 THE COURT: -- I'm going to send the jury out so
09:32:28 19 that I don't have a small space up here at the bench where
09:32:34 20 you're handing me documents.

09:32:35 21 MR. HILL: Okay.

09:32:36 22 THE COURT: All right. Go back to your respective
09:32:37 23 places. Let me send the jury out.

09:32:39 24 (Bench conference concluded.)

09:32:40 25 THE COURT: Ladies and gentlemen, there's a matter

09:32:51 1 that's arisen that I need to take up with counsel outside
09:32:55 2 of your presence. Consequently, I'm going to ask you to
09:33:00 3 retire to the jury room and simply close and leave your
09:33:03 4 notebooks in your chairs.

09:33:05 5 While you're in the jury room and outside of the
09:33:07 6 courtroom, follow all my instructions, including not to
09:33:09 7 discuss the case among yourselves. Use this opportunity to
09:33:13 8 get a drink of water and stretch your legs while the rest
09:33:16 9 of us are in here working on this issue.

09:33:18 10 With that, ladies and gentlemen, please retire to
09:33:19 11 the jury room.

09:33:21 12 COURT SECURITY OFFICER: All rise.

09:33:22 13 (Jury out.)

09:33:51 14 THE COURT: All right. Be seated.

09:33:53 15 MR. HILL: Your Honor, may I make one other
09:33:54 16 request? Since this concerns a matter of impeachment,
09:33:58 17 can I ask the witness also be excluded from this
09:34:01 18 conversation?

09:34:01 19 THE COURT: I think that's appropriate until the
09:34:06 20 Court rules on this issue.

09:34:07 21 Mr. Brady, I'm going to ask you to step outside
09:34:11 22 the courtroom. And if you'll stay close to those double
09:34:13 23 doors in the back, we'll have the Court Security Officer
09:34:16 24 come get you when it's the appropriate time for you to
09:34:19 25 return.

09:34:37 1 THE WITNESS: Okay.

09:34:37 2 THE COURT: All right. The witness is outside the
09:34:39 3 courtroom.

09:34:39 4 Let's continue this discussion, counsel, where we
09:34:41 5 left off at the bench.

09:34:43 6 MR. HILL: Yes, sir, Your Honor.

09:34:44 7 THE COURT: You need to show me what you're
09:34:45 8 intending to use, Mr. Hill.

09:34:47 9 MR. HILL: Yes, sir. Let me gather it and hand it
09:34:50 10 to you.

09:34:50 11 MR. SHEASBY: And, Your Honor, if I may just
09:34:52 12 settle the record. The question that was answered was: I
09:34:55 13 think they were involved in the writing. Not that they
09:34:58 14 wrote the specification. So that's the question in which
09:35:00 15 he's seeking to show a patent that --

09:35:00 16 THE COURT: That's the answer the witness gave?

09:35:02 17 MR. SHEASBY: That's the answer the witness gave.

09:35:04 18 THE COURT: I understand.

09:35:22 19 MR. HILL: All right. Your Honor, if I may
09:35:23 20 approach?

09:35:24 21 THE COURT: Hand them to the courtroom deputy.

09:35:32 22 MR. HILL: Your Honor, I've handed you two
09:35:34 23 documents. One is a copy of the impeachment material.
09:35:36 24 This is the patent dated 2009.

09:35:42 25 THE COURT: The '943 patent?

09:35:45 1 MR. HILL: Yes, sir. And the other item I've
09:35:47 2 handed you is a highlighted copy of the '605.

09:35:49 3 THE COURT: All right.

09:35:50 4 MR. HILL: Okay. And, Judge, if you will flip to
09:35:52 5 Column 8.

09:35:53 6 THE COURT: Of which patent?

09:35:55 7 MR. HILL: Of the -- or, I'm sorry, Judge, let me
09:35:58 8 find it here. If you will flip to Column 20 of the '943
09:36:03 9 patent, and then you flip to Column 8 of the '605 patent,
09:36:17 10 you will find a column and a half of identical material.

09:36:26 11 And just for reference, Your Honor, the -- the
09:36:31 12 '943 patent was filed in 2004, okay, so, well before the
09:36:40 13 filing of the '605 patent.

09:36:44 14 And if you take a look at them, Judge, they're
09:36:47 15 verbatim. And if you also look in the figures to the '943
09:36:52 16 patent --

09:36:53 17 THE COURT: Let me ask a question, Mr. Hill, of
09:36:56 18 Mr. Sheasby.

09:36:56 19 Mr. Sheasby, do you agree that these two sections
09:37:01 20 of these two different documents Mr. Hill's given me are
09:37:06 21 identical?

09:37:08 22 MR. SHEASBY: I haven't even looked all the way
09:37:10 23 through, Your Honor.

09:37:10 24 THE COURT: Well, now is the time for you to be
09:37:12 25 looking. I'm looking, too.

09:37:16 1 MR. SHEASBY: So, Your Honor, the question of
09:37:18 2 whether they're identical or not is not really the issue
09:37:20 3 though.

09:37:20 4 THE COURT: Well, it's the question I asked you --

09:37:20 5 MR. SHEASBY: So --

09:37:22 6 THE COURT: -- and that's the question I'd like an
09:37:25 7 answer to.

09:37:25 8 MR. SHEASBY: So -- so let's stipulate that
09:37:27 9 they're identical.

09:37:28 10 THE COURT: All right. Then I want to hear the
09:37:31 11 rest of Mr. Hill's statement.

09:37:32 12 MR. HILL: Your Honor, if you'll also look at
09:37:34 13 Figure 2C of the '943 patent. It is Figure 4 from the '605
09:37:48 14 patent. The only differences, Your Honor, are the actual
09:37:56 15 numbering between the figures.

09:38:00 16 MR. SHEASBY: And so that is a relevant
09:38:04 17 difference.

09:38:04 18 THE COURT: All right.

09:38:05 19 MR. HILL: But -- and the numbering then
09:38:07 20 corresponds, of course, with the numbering that is in
09:38:11 21 the -- in the respective portions of the specification.

09:38:14 22 MR. SHEASBY: But that's exactly my point, Your
09:38:17 23 Honor.

09:38:17 24 THE COURT: Gentlemen, I'm going to hear from the
09:38:18 25 Defendant first, and then I'm going to hear from the

09:38:21 1 Plaintiff. I don't want this back and forth over each
09:38:23 2 other commentary.

09:38:25 3 Go ahead and finish your position, Mr. Hill.

09:38:28 4 MR. HILL: And so, Your Honor, what we've seen
09:38:29 5 here is yesterday in his direct examination where Mr. Brady
09:38:34 6 referred to this portion of the specification demonstrating
09:38:37 7 what he said to the jury was their claimed invention and
09:38:41 8 their PDA -- the question was asked: What is the PDA that
09:38:46 9 was being discussed in the research program at USAA? And
09:38:50 10 he went on to answer that, describing it.

09:38:55 11 And then today, I presented him with it again. I
09:38:59 12 asked him if it was USAA's position that this portion of
09:39:04 13 the specification represents their work that their
09:39:06 14 inventors wrote to contribute to this patent in writing
09:39:11 15 this patent. I don't have the exact question in front of
09:39:12 16 me, Your Honor, from a live feed to read to you, but you
09:39:15 17 heard the questioning. And he testified that it is.

09:39:19 18 They're claiming credit for this work. And that
09:39:23 19 is relevant to show that USAA's credibility is questionable
09:39:26 20 here. They have told the jury that these parts of the spec
09:39:31 21 are things they wrote, things they prepared.

09:39:35 22 And the fact is that's not true. And the jury
09:39:40 23 should be able to consider that in the context of assessing
09:39:43 24 USAA's credibility by virtue of its corporate
09:39:46 25 representative that has sponsored that testimony to them.

09:39:48 1 And I should be entitled to impeach him with this and show
09:39:53 2 it to him.

09:39:53 3 THE COURT: All right. Now, I'll hear from you,
09:39:56 4 Mr. Sheasby.

09:39:57 5 MR. SHEASBY: Thank you, Your Honor. I start with
09:40:00 6 a couple of fixed points. There is no written description
09:40:04 7 or derivation defense in this case -- there's no derivation
09:40:07 8 defense in this case. It does not exist.

09:40:10 9 Second, Mr. Hill is not engaging in the testimony
09:40:16 10 that I -- that was actually given, which is I think they
09:40:19 11 were involved in the writing.

09:40:20 12 Third, what you see here is something that is
09:40:23 13 actually common in patents, which is there's a section that
09:40:26 14 talks about how you use digital devices. That section was
09:40:31 15 used and renumbered and reconstructed so that it would work
09:40:36 16 with the '605 patent to create that same structure.

09:40:39 17 There is not a case law in the Federal Circuit
09:40:42 18 that says that you don't have possession of -- of an
09:40:45 19 invention because you're using a passage from a
09:40:48 20 specification that came from another patent.

09:40:50 21 In fact, that would suggest that prior art could
09:40:54 22 destroy written description or could destroy possession,
09:40:57 23 where it's just the opposite. The fact that PDAs were so
09:41:02 24 ubiquitous, the fact that individuals used them, is
09:41:06 25 evidence that it was a common understanding.

09:41:08 1 You're allowed to put in your specification things
09:41:10 2 that are known in the art. And so my concern about it is
09:41:13 3 I'm -- it's not lost on me exactly why Mr. Hill wants to do
09:41:17 4 it. He didn't get the clean admission.

09:41:19 5 Mr. Brady has never seen this exhibit. If he's
09:41:22 6 asked, have you seen this exhibit, he's going to say, I've
09:41:25 7 never seen it before. So it's not proper impeachment on
09:41:28 8 that ground. And it goes to something that is the exact
09:41:30 9 opposite of the law.

09:41:31 10 There's this connection, which is they're trying
09:41:34 11 to say that things were known in the art. So using PDAs in
09:41:37 12 exchange of computers was known in the prior art. That's
09:41:39 13 what this document shows, this Microsoft document.

09:41:43 14 That's not a challenge to written description.
09:41:45 15 That's not a challenge to any defense in this case. What
09:41:48 16 they're trying to do is tar USAA because a patent
09:41:52 17 prosecutor did something which is allowed under the United
09:41:55 18 States patent law, which is take portions of specifications
09:41:58 19 and combine them together to create new inventions.

09:42:01 20 And the PTO concluded that this was a patentable
09:42:04 21 invention in its totality without separating out individual
09:42:08 22 elements.

09:42:08 23 The language is not identical. The numbering is
09:42:11 24 different, and the numbering is different because it
09:42:13 25 connects to different elements of the specification. It is

09:42:15 1 not proper impeachment.

09:42:17 2 And even if it were proper impeachment, it is not
09:42:19 3 a disclosed defense in this case. There's no derivation in
09:42:22 4 this case.

09:42:23 5 And the third issue is, if this happens, it blows
09:42:28 6 open the door to an incredibly complicated explanation
09:42:34 7 about the fact that there is nothing wrong with taking
09:42:37 8 portions of a specification to construct another
09:42:41 9 specification. It is absolutely -- there's no copyright in
09:42:42 10 United States Government documents. You're entitled to do
09:42:43 11 this, and it's lawful under the law to do this.

09:42:46 12 THE COURT: All right. Do you have anything else,
09:42:47 13 Mr. Hill?

09:42:47 14 MR. HILL: I do, Your Honor.

09:42:49 15 THE COURT: Make it brief, please.

09:42:50 16 MR. HILL: Your Honor, the point -- what is
09:42:52 17 unusual here -- it's not the fact that someone copies from
09:42:55 18 an old patent. It's from the fact that a company corporate
09:43:00 19 rep takes the stand and tells the jury that they're the
09:43:03 20 genesis of this, that they authored it, that their -- their
09:43:08 21 inventors created it, that it's their work.

09:43:10 22 And that's what this goes to, Judge. This isn't
09:43:12 23 some grand patent issue. This is a basic issue of witness
09:43:15 24 credibility and the fact that a witness who claims this is
09:43:19 25 our work and it's not shouldn't be believed on other

09:43:24 1 matters.

09:43:24 2 And so that's what this demonstrates is that
09:43:27 3 Mr. Brady has testified that this is the work of USAA, and
09:43:33 4 it, in fact, is not. And that impairs his credibility.

09:43:37 5 Your Honor, I'll make one last thing. There's a
09:43:40 6 case out that you may be aware of called the Cioffi case
09:43:40 7 that Judge Payne had where he confronted something like
09:43:47 8 this.

09:43:47 9 In that instance, Your Honor, the -- the Defendant
09:43:50 10 was trying to use copied material like this that came from
09:43:55 11 the background section of the patent. And Judge Payne said
09:43:57 12 to him in the context of that case, well, it's not as if
09:44:02 13 they're saying this is part of the invention. And he
09:44:05 14 excluded it when it was background material.

09:44:08 15 Here, that's exactly what they've said. They've
09:44:11 16 told the jury this is part of our invention, and they've
09:44:14 17 gone beyond that to say in the context of a written
09:44:17 18 description defense, and this is where our inventors wrote
09:44:20 19 it down. I'm entitled to show they didn't write it and
09:44:23 20 that their claims are incredible and it impairs their
09:44:27 21 credibility. I don't want it for some broader patent
09:44:30 22 issue. I want it for a credibility issue.

09:44:32 23 THE COURT: Go ahead, Mr. Sheasby.

09:44:34 24 MR. SHEASBY: Your Honor, this is absolutely
09:44:35 25 improper. No one said we invented PDAs. We invented the

09:44:38 1 use of PDAs in a remote deposit capture system. This
09:44:43 2 Microsoft document goes to not a single defense in this
09:44:45 3 case, not one. This is absolutely improper impeachment,
09:44:49 4 and it doesn't relate to any single defense in this case.
09:44:51 5 It should not be -- it should not be allowed.

09:44:54 6 And, in addition, it's not even going to be
09:44:56 7 impeachment because he's going to say I've never seen the
09:44:58 8 document before.

09:45:00 9 THE COURT: All right. I've heard all I need to
09:45:02 10 hear.

09:45:03 11 The Plaintiff's objection to this line of proposed
09:45:11 12 impeachment is sustained. I don't find that there's a
09:45:14 13 direct contradiction between what the witness testified to
09:45:16 14 and what Mr. Hill is purporting to present.

09:45:21 15 I also find that it's very typical to reproduce
09:45:25 16 sections of earlier patents. The question was not, did
09:45:30 17 USAA originate this? Did they come up with it as -- as
09:45:36 18 their original contribution? The question was, did those
09:45:39 19 inventors write it?

09:45:40 20 And the witness said: To the best of my
09:45:43 21 knowledge, they did. Well, they did write it. Whether it
09:45:45 22 had been written before or not, was not the question.
09:45:48 23 There's not a direct contradiction here. This is highly
09:45:51 24 prejudicial, and it does not relate to the issue of written
09:45:55 25 defense that the Defendants have put forward in this case.

09:45:58 1 Whether the description in the patent is an
09:46:01 2 adequate description or not is not based upon whether the
09:46:05 3 written word originated with the patentee or was reproduced
09:46:13 4 from some earlier patent specification. That is not a part
09:46:16 5 of the written defense. It's not probative. It's highly
09:46:19 6 prejudicial. And I'm going to sustain the Plaintiff's
09:46:21 7 objection.

09:46:21 8 MR. HILL: Your Honor, can I ask one question just
09:46:23 9 to save us time once Mr. Brady is up there? If I were to
09:46:27 10 elicit from Mr. Brady an affirmative response that USAA
09:46:30 11 claims to have written -- to have authored this language,
09:46:34 12 would that change the Court's view of this?

09:46:37 13 THE COURT: Given the lack of probative value and
09:46:42 14 the high level of prejudice, it probably wouldn't, but I'm
09:46:46 15 not going to preclude you from trying to ask that question,
09:46:49 16 Mr. Hill.

09:46:49 17 MR. HILL: Thank you, Your Honor.

09:46:50 18 MR. SHEASBY: And, Your Honor, to be clear, I will
09:46:53 19 instruct not to answer on that because it will reveal
09:46:57 20 privilege of how we got --

09:46:57 21 THE COURT: So the question hasn't been asked yet,
09:47:00 22 so if it is, you have a right to assert a privilege if
09:47:00 23 you want to.

09:47:00 24 MR. HILL: Your Honor, I do not want to force the
09:47:04 25 Plaintiff to assert a privilege in front of the jury.

09:47:06 1 That's unfair. So -- but I don't understand the privilege
09:47:08 2 objection. My question would be does he contend that
09:47:12 3 USAA's inventors actually authored this material.

09:47:16 4 MR. SHEASBY: Your Honor, he's already said he
09:47:17 5 doesn't know. What he said is that they participated in
09:47:20 6 the process.

09:47:20 7 THE COURT: Well, they wrote it, Mr. Hill, and the
09:47:24 8 witness said, to the best of his knowledge, they wrote it.

09:47:27 9 But I can copy the first chapter of Genesis, and I
09:47:31 10 wrote it, too, but that doesn't mean I originated it. And
09:47:35 11 that's the distinction here.

09:47:36 12 You've put on nothing to show that the witness has
09:47:38 13 indicated that USAA was the genesis of this and that they
09:47:43 14 originated it. You're confusing origination and genesis
09:47:48 15 with the act of writing it, and that's the distinction that
09:47:52 16 the Court sees, and that's why it's not a direct
09:47:54 17 contradiction.

09:47:55 18 But even if it were a direct contradiction, it's
09:47:57 19 highly prejudicial with no real probative value that goes
09:48:00 20 to your written -- written description defense. So on a
09:48:06 21 403 basis, I believe the Plaintiff's objection is well
09:48:09 22 taken.

09:48:09 23 MR. HILL: And that was the nature of my question,
09:48:11 24 Your Honor. If I ask him the origination question, are you
09:48:14 25 the genesis of this actual text --

09:48:16 1 THE COURT: And that's why I said I don't think it
09:48:19 2 will change my ruling.

09:48:19 3 MR. HILL: Okay. Well then, I won't burn the
09:48:20 4 Court's time to do it. That's why I wanted to ask.

09:48:21 5 THE COURT: Well, the time that's being burned is
09:48:25 6 the parties' time, not the Court's time.

09:48:28 7 This issue is resolved as far as I'm concerned.

09:48:38 8 MR. SHEASBY: May I bring Mr. Brady back in?

09:48:41 9 THE COURT: Yes, you may bring the witness back in
09:48:43 10 and put him back on the witness stand.

09:48:46 11 Mr. Hill, if you'll approach, I'll hand you back
09:48:49 12 the documents.

09:48:49 13 MR. HILL: Yes, sir.

09:48:50 14 THE COURT: Ms. Lockhart?

09:48:51 15 Mr. Brady, if you'll return to the witness stand,
09:48:54 16 please, sir.

09:49:04 17 Are you prepared to continue, Mr. Hill? Mr. Hill,
09:49:08 18 are you prepared to continue?

09:49:09 19 MR. HILL: I am, Your Honor.

09:49:10 20 THE COURT: Then let's bring in the jury, please,
09:49:12 21 Mr. Johnston.

09:49:53 22 COURT SECURITY OFFICER: All rise.

09:49:54 23 (Jury in.)

09:49:56 24 THE COURT: Thank you for your cooperation, ladies
09:50:01 25 and gentlemen of the jury. Please be seated.

09:50:03 1 We will continue with the Defendant's
09:50:06 2 cross-examination of the witness, Mr. Brady.

09:50:09 3 Go ahead, counsel.

09:50:10 4 MR. HILL: Thank you, Your Honor.

09:50:12 5 Mr. Bakale, if we can go back to the '605 patent,
09:50:15 6 please, and we were at Column 8.

09:50:18 7 Q. (By Mr. Hill) Now, Mr. Brady, you were not involved in
09:50:30 8 the writing of these portions of the specification, were
09:50:32 9 you, sir?

09:50:33 10 A. I was not involved.

09:50:35 11 Q. But USAA has nine employees that remain in its employ
09:50:40 12 today who were involved, right?

09:50:49 13 A. I don't believe that number is right, no.

09:50:53 14 Q. How many of these inventors still work for USAA?

09:50:55 15 A. I see four.

09:51:08 16 Q. And the face of the company that USAA brought to
09:51:12 17 sponsor this invention was not any of those four but
09:51:16 18 instead was you, correct?

09:51:18 19 A. That's correct.

09:51:20 20 Q. Now, Mr. Brady, the hundred million dollars in damages
09:51:29 21 that USAA seeks in this case, that was just for the period
09:51:33 22 of August 2018 until today, about a year and a half. Do
09:51:37 23 you understand that?

09:51:37 24 A. That's what I understand.

09:51:41 25 Q. You understand that USAA is not claiming in this case

09:51:46 1 damages before the patents issued, right?

09:51:49 2 A. That's my understanding.

09:51:50 3 Q. Because you can't do that, can you?

09:51:51 4 A. That's my understanding, yes.

09:51:52 5 Q. You can only seek damages for a patent once it exists.

09:51:56 6 Seem right?

09:51:58 7 A. Okay. Yes.

09:51:58 8 Q. And -- but USAA isn't seeking damages just for that
09:52:03 9 period, is it?

09:52:03 10 A. I don't know all the details.

09:52:07 11 Q. All right. What I'm asking, Mr. Brady, is, is USAA
09:52:12 12 trying to be bashful about the amount of money it's really
09:52:16 13 asking for or that it really says these inventions are
09:52:19 14 worth?

09:52:19 15 A. I'm not -- I'm not sure what you're getting at, sir.

09:52:22 16 Q. These patents don't expire today, do they?

09:52:25 17 A. No.

09:52:26 18 Q. When do they expire?

09:52:28 19 A. My understanding is 20 years --

09:52:35 20 Q. Okay.

09:52:36 21 A. -- from issue.

09:52:37 22 Q. So what is USAA saying Wells Fargo should really pay
09:52:40 23 for the use of mobile check deposit?

09:52:43 24 A. You know, I'm probably not the best one to -- to speak
09:52:47 25 to that. I think we have other people that will be

09:52:49 1 representing that.

09:52:49 2 Q. Mr. Brady, there are no licenses to these patents, are
09:52:58 3 there, sir?

09:52:59 4 A. What do you -- what do you mean by that?

09:53:04 5 Q. Just that, there are no licenses to these patents, are
09:53:07 6 there, sir?

09:53:08 7 A. I'm not aware.

09:53:11 8 MR. HILL: I'll pass the witness, Your Honor.

09:53:12 9 THE COURT: Redirect examination by the Plaintiff?
09:53:20 10 Counsel, are either of you going to continue to
09:53:22 11 use this easel during this witness's examination?

09:53:25 12 MR. HILL: No, sir, Your Honor.

09:53:26 13 THE COURT: Then I'd suggest somebody move it back
09:53:28 14 so it doesn't obstruct my view of the podium. Thank you.

09:53:36 15 MR. SHEASBY: Your Honor, may I approach counsel?
09:53:38 16 Counsel has inadvertently taken my notepad.

09:53:41 17 THE COURT: You may have a moment to talk to
09:53:43 18 opposing counsel.

09:53:44 19 MR. HILL: I'm sorry. Sorry.

09:54:08 20 THE COURT: All right. Mr. Sheasby, you may
09:54:09 21 proceed with your redirect examination.

09:54:09 22 REDIRECT EXAMINATION

09:54:12 23 BY MR. SHEASBY:

09:54:12 24 Q. Good morning, Mr. Brady.

09:54:14 25 A. Good morning, Mr. Sheasby.

09:54:15 1 Q. It's nice to speak with you again.

09:54:17 2 A. It's nice to speak with you.

09:54:18 3 Q. Mr. Brady, do you have an understanding as to why you
09:54:21 4 were asked to speak on behalf of USAA about consumer remote
09:54:25 5 deposit capture?

09:54:25 6 A. Yes, I do.

09:54:26 7 Q. Why is that?

09:54:28 8 A. I was -- I was -- when we first implemented consumer
09:54:36 9 remote deposit capture, I was the technology leader that
09:54:39 10 was the technical sponsor, and I led the team on a
09:54:44 11 day-to-day -- day-by-day basis as we were rolling out the
09:54:48 12 initial implementation.

09:54:51 13 MR. SHEASBY: Mr. Huynh, can we turn to PX-1186,
09:54:59 14 which is the '605 patent. And if you could pull up
09:55:01 15 Claim 1, Mr. Huynh. And just pull up the talk about -- top
09:55:19 16 of that, Claim 1. Right there, that's good enough.

09:55:22 17 Q. (By Mr. Sheasby) Now, counsel for Wells Fargo asked
09:55:27 18 you questions about whether Mr. Morris had said that mobile
09:55:34 19 phones appear in the patents. Do you remember those
09:55:38 20 questions?

09:55:38 21 A. Yes, he did ask that.

09:55:40 22 Q. Do the claims that the United States Government granted
09:55:45 23 to USAA require the use of a mobile phone?

09:55:50 24 MR. HILL: Objection, Your Honor. Calls for a
09:55:51 25 legal opinion.

09:55:55 1 MR. SHEASBY: Your Honor, he's opened the door to
09:55:56 2 this by asking Mr. Brady whether the patent references
09:56:01 3 mobile phones.

09:56:01 4 MR. HILL: Your Honor, I can't open the door to a
09:56:03 5 non-opinion witness giving opinions.

09:56:07 6 MR. SHEASBY: Your Honor, if the question -- the
09:56:09 7 claims do not require mobile phones, the examination that
09:56:12 8 was done by Mr. Brady representing that whether mobile
09:56:16 9 phone does or does not appear in the patents goes directly
09:56:19 10 to the question of whether the claims require a mobile
09:56:21 11 phone, which they don't.

09:56:22 12 THE COURT: Restate your question, Mr. Sheasby.

09:56:24 13 Q. (By Mr. Sheasby) Mr. Brady, you've examined the claims
09:56:30 14 of the patents, correct?

09:56:31 15 A. Yes, I have.

09:56:33 16 Q. In your examination of the claims of the patents, do
09:56:36 17 they require -- did you see any reference to a mobile
09:56:39 18 phone?

09:56:39 19 A. I think they describe the concepts of a mobile phone.

09:56:45 20 Q. Do they describe the -- do the patents describe the
09:56:49 21 concepts of a portable device?

09:56:50 22 A. They describe the concept of a portable device. They
09:56:54 23 describe the concepts of a digital camera. I think they
09:56:58 24 describe all the concepts of a mobile phone.

09:57:00 25 Q. Do the patents make reference to the use of cellular

09:57:04 1 technology?

09:57:04 2 A. Yes, they also make reference to cellular technology in
09:57:10 3 the -- in the patents.

09:57:10 4 Q. Now, Mr. Hill suggested to you that the -- well, let's
09:57:21 5 withdraw the --

09:57:22 6 MR. SHEASBY: Let's go to PX-1186, and let's pull
09:57:24 7 up Column 21 -- I mean, Page 21 of that, Mr. Huynh. And
09:57:36 8 let's go to Column 4, Lines 1 through 9.

09:57:40 9 Q. (By Mr. Sheasby) Now, this is a passage from the
09:57:49 10 formal specification of the '605 patent, correct?

09:57:52 11 A. That's correct, yes.

09:57:53 12 Q. Do you have an understanding as to whether this same
09:57:57 13 passage appears in the '681 patent?

09:57:58 14 A. I believe it does.

09:58:00 15 Q. Okay. And this is the passage that was written by USAA
09:58:05 16 in 2006; is that correct?

09:58:07 17 A. Yes, it's the same.

09:58:09 18 MR. SHEASBY: And I want to highlight the last
09:58:12 19 sentence, Mr. Huynh, if you would.

09:58:14 20 Q. (By Mr. Sheasby) It said a particular advantage of the
09:58:19 21 embodiments of the invention is its ability to operate in
09:58:22 22 conjunction with electronics that today's consumers
09:58:25 23 actually own or can easily acquire, such as a general
09:58:30 24 purpose computer, a scanner, and a digital camera.

09:58:41 25 Did USAA have a view as to the -- withdraw the

09:58:46 1 question.

09:58:46 2 Let me ask it this way: What was USAA's views of
09:58:51 3 the type of devices it member -- its members would use with
09:58:55 4 the platform it created in 2006?

09:58:56 5 A. We wanted to be able to use everyday consumer devices
09:58:59 6 that they either already had or that they could easily go
09:59:03 7 out and acquire and that was -- we wanted to be able to
09:59:07 8 enable devices that they, you know, could use for other
09:59:10 9 things, as well.

09:59:12 10 And -- and these are -- this is a very descript
09:59:16 11 of -- of the type of devices that we were -- that we were
09:59:19 12 looking for.

09:59:20 13 Q. The platform that USAA created in 2006, could it accept
09:59:25 14 digital images from mobile phone cameras?

09:59:27 15 A. Yes, the infrastructure we developed definitely was --
09:59:30 16 was able to accept those -- those digital images.

09:59:34 17 Q. The infrastructure that USAA created in 2006, did it
09:59:40 18 have the ability to accept images from PDAs?

09:59:46 19 A. Yes, it did.

09:59:48 20 Q. Did it have the ability to accept images from webcams?

09:59:53 21 A. Yes, it did.

09:59:54 22 Q. Is there any digital camera that the system in 2006
10:00:00 23 could not have accepted an image from that you're aware of?

10:00:03 24 A. As long as the digital camera had a high enough pixel
10:00:08 25 quality, high enough resolution, it would -- it could

10:00:11 1 accept the image, yes.

10:00:13 2 Q. When was USAA experimenting with capturing images using
10:00:19 3 mobile phones?

10:00:20 4 A. We officially began a project in 2007 to start working
10:00:24 5 with -- with mobile phones.

10:00:26 6 Q. And why did you -- why were you inspired to begin a
10:00:30 7 formal project in 2007?

10:00:31 8 A. We knew mobile phones had digital cameras. We knew
10:00:36 9 mobile phones were being used as cameras. And so we -- we
10:00:40 10 specifically wanted to enable that for our members.

10:00:43 11 Q. Now, Mr. Hill suggested to you that an engineer from
10:00:50 12 USAA had expressed concern about whether digital cameras
10:00:55 13 should be used and whether -- and that it had never been
10:00:59 14 experimented with before.

10:01:00 15 And you correct him and say: That was an
10:01:04 16 executive. Can you talk about Rickey Burks as an executive
10:01:08 17 and what -- what exactly his concern was?

10:01:10 18 A. So Mr. Burks -- let me --

10:01:21 19 Q. I believe it's PX-36, Mr. Brady.

10:01:21 20 A. As I mentioned, Mr. Burks was my manager. Mr. Burks
10:01:36 21 was also Mr. Oakes's manager. And his -- his -- and -- and
10:01:46 22 Mr. Oakes is the one that's actually named on the patent,
10:01:49 23 by the way.

10:01:50 24 But what Mr. Burks's concern was at the time we
10:01:55 25 had been working with -- it was important for us to do

10:02:02 1 virus scans on the images that were coming back from --
10:02:06 2 from our -- our members' devices. And so we -- we had --
10:02:15 3 we knew we had fully tested out all of our scanning for
10:02:20 4 the -- for the -- for the scanners.

10:02:25 5 Mr. Burks's concern here is have we done all the
10:02:33 6 virus scanning that we needed for the -- for the -- for
10:02:37 7 digital cameras. And -- and I remember that because this
10:02:39 8 was a specific concern of Mr. Burks's.

10:02:42 9 Q. Was Mr. Burks expressing questions as to whether Wells
10:02:45 10 Fargo -- USAA's system was technically capable?

10:02:45 11 A. He was not expressing that concern.

10:02:48 12 MR. SHEASBY: All right. Let's scroll up in this
10:02:49 13 email to the part that discussed Troy -- Troy Huth. I we
10:02:54 14 could go -- I believe it's between 2 and 3, Mr. Huynh.

10:02:58 15 Q. (By Mr. Sheasby) So just the -- to orient ourselves,
10:03:08 16 this is before the filing in the patent application,
10:03:10 17 correct?

10:03:10 18 A. Yes, it is.

10:03:11 19 Q. And it says, quote, Mike Morris did check on the use of
10:03:15 20 a camera to capture the image is included in our patent
10:03:17 21 application.

10:03:18 22 Do you see that, sir?

10:03:19 23 A. Yes, absolutely.

10:03:20 24 Q. Now, you said that you began a formal project with
10:03:24 25 mobile phones in 2007, correct?

10:03:27 1 A. Yes, we kicked off a formal project in 2007 to start
10:03:31 2 working with mobile phones.

10:03:32 3 Q. What platform was used for that formal project?

10:03:35 4 A. It was the same underlying platform that -- that our --
10:03:41 5 all of our other consumer remote deposit capture was based
10:03:44 6 on.

10:03:44 7 Q. And was that platform in existence in 2006?

10:03:47 8 A. That was in existence in 2006.

10:03:49 9 Q. Now, you were involved in a number of research and
10:03:52 10 development programs at USAA, correct?

10:03:54 11 A. Correct.

10:03:55 12 Q. And you're responsible for preparing and ensuring that
10:03:58 13 patents are sometimes prepared on inventions, correct?

10:04:00 14 A. That's -- that's correct, yeah. That's part of my --
10:04:03 15 my job.

10:04:03 16 Q. In your experience, what comes first, the creation of
10:04:07 17 the commercial platform or the filing of the patent
10:04:11 18 application?

10:04:11 19 A. The -- the -- what would come first would be the idea
10:04:16 20 and the filing of the patent.

10:04:16 21 Q. And so in your experience as a research and development
10:04:19 22 executive, not just your 15 years at USAA, but your 35
10:04:23 23 years in the industry, first, you file the patent; is that
10:04:28 24 correct?

10:04:28 25 A. That's correct.

10:04:29 1 Q. And then you build out the infrastructure to launch it
10:04:32 2 to consumers; is that correct?

10:04:33 3 A. That's the way we generally do things, yes.

10:04:35 4 Q. Are you aware of any company in this field or any
10:04:40 5 technology company that first creates the system and then
10:04:44 6 writes the patent?

10:04:45 7 A. I'm not aware of that, no.

10:04:47 8 Q. Now, let's turn back to PX-39. And let's look at the
10:05:08 9 top email exchange. I'm going to go to the passage from
10:05:16 10 Mr. Oakes that says: This effort can and will
10:05:20 11 revolutionize the banking industry...the virtual bank.

10:05:24 12 Do you see that?

10:05:25 13 A. Yes, I do. That's calling out the vision around what
10:05:28 14 we wanted to accomplish.

10:05:28 15 Q. And this is from 2006; is that correct?

10:05:30 16 A. Yes. This is before -- before we actually launched.

10:05:33 17 Q. In that platform that was created in 2006, the platform
10:05:37 18 for the virtual bank, is that the same platform that was
10:05:41 19 the -- was -- was the foundation for the iPad app launch in
10:05:47 20 2009?

10:05:47 21 A. Yes, it was the same -- same platform we used for --
10:05:52 22 for iPhone, Android, iPad, Windows Mobile, everything. It
10:05:57 23 was all based on the same platform.

10:05:59 24 Q. It improved over time, but it was the same core
10:06:02 25 platform?

10:06:02 1 A. Yes, yes.

10:06:03 2 Q. And that platform existed in 2006, correct?

10:06:05 3 A. Yes, it did.

10:06:06 4 Q. And I want to go down, and I want you to just read the
10:06:10 5 last passage that says: We have been told this product
10:06:13 6 will not work. And ask you a question of: What was the
10:06:19 7 industry's views of -- in your experience of the technology
10:06:22 8 when it was launched in 2006 and 2007?

10:06:24 9 A. The -- the industry view? Well, this -- this had never
10:06:31 10 been done before. Even we -- internally, we all thought
10:06:35 11 this was -- this was not doable because it had never been
10:06:40 12 done before. It seems pretty commonplace now, but back
10:06:43 13 then, it had never been done before.

10:06:45 14 And so we -- we had a lot of discussion about is
10:06:47 15 it even -- is it even going to be possible? Is it going to
10:06:50 16 be -- you know, are we going to be able to work with the
10:06:54 17 regulators, which we did, to ensure that it -- that it met
10:06:57 18 the regulations?

10:06:58 19 Q. Were you familiar with the types of devices that were
10:07:03 20 being used to capture images in 2006 in the research
10:07:09 21 laboratories at USAA?

10:07:10 22 A. There were quite a number of them, yes.

10:07:12 23 Q. Give me some examples.

10:07:14 24 A. In 2006, in our -- in our Applied Research area.

10:07:16 25 Q. Yes, not in a program but just experimenting in the

10:07:19 1 lab.

10:07:19 2 A. Yeah, we -- we -- this is outside of an official
10:07:21 3 project, but we did have a lot of devices down in our
10:07:24 4 Applied Research lab. We had a variety of different
10:07:27 5 scanners. We also had -- had webcams. We were -- we
10:07:33 6 were -- we were working -- we were taking pictures of
10:07:38 7 checks using -- using -- using mobile phones to see if the
10:07:41 8 quality was good enough. But it wasn't until 2007 that we
10:07:43 9 officially kicked off that project.

10:07:45 10 Q. And turning back to PX-36 -- actually, I withdraw that.

10:07:58 11 Mr. Brady, what has been the impact of consumer
10:08:02 12 remote deposit capture on USAA as a company?

10:08:04 13 A. It -- it -- it has been -- it's been very -- I mean,
10:08:09 14 it's been huge. It's been -- you know, I think I mentioned
10:08:13 15 yesterday, our -- our company has grown. The bank has
10:08:17 16 tripled in size since we did this. As soon as we
10:08:20 17 implemented it, we saw a -- a huge bump in the number of
10:08:24 18 checking accounts, a huge increase in the number of
10:08:26 19 checking accounts because it attracted more -- more members
10:08:30 20 to use us for banking services. And it -- it's been
10:08:34 21 tremendous for us.

10:08:34 22 Q. And to this day presently, are you aware of any mobile
10:08:41 23 device that can download an application, has a digital
10:08:44 24 camera, that cannot be used with USAA's platform?

10:08:47 25 A. No. They all work at this point.

10:08:49 1 Q. And that's based on the research in 2006?

10:08:51 2 A. That's based on what we did in 2006 and what we built
10:08:54 3 in 2006.

10:08:55 4 MR. SHEASBY: No further questions. I pass the
10:08:57 5 witness.

10:08:57 6 THE COURT: Further cross-examination?

10:08:59 7 MR. HILL: Briefly, Your Honor.

10:09:07 8 THE COURT: Please proceed.

10:09:07 9 RE CROSS-EXAMINATION

10:09:07 10 BY MR. HILL:

10:09:08 11 Q. Mr. Brady, for that 2006 system that's discussed in
10:09:11 12 that email we've looked at so often, you had to have a
10:09:14 13 TWAIN driver, didn't you?

10:09:16 14 A. That was the implementation. That was -- that's an
10:09:21 15 example of what we were doing. That's an example of an
10:09:24 16 implementation, yes.

10:09:25 17 Q. Mobile phones don't typically have TWAIN drivers, do
10:09:29 18 they, sir?

10:09:31 19 A. I'm not aware if they do.

10:09:32 20 Q. Are you familiar at all with homebuilding, Mr. Brady?

10:09:35 21 A. Somewhat.

10:09:37 22 Q. Okay. Well, you understand -- let me ask -- before I
10:09:39 23 get to that, you understand the question in this case is
10:09:41 24 whether the spec supports the claims, right?

10:09:43 25 A. I understand.

10:09:47 1 Q. All right. You know, you can build a house with
10:09:50 2 different kinds of foundations. Have you ever heard of a
10:09:52 3 pier and beam foundation?

10:09:54 4 A. Yes.

10:09:54 5 Q. If I -- and effectively, a pier and beam foundation is
10:09:57 6 what, it's a platform, isn't it?

10:10:00 7 A. You could call it multiple things.

10:10:03 8 Q. And if I write you out a description of how to build a
10:10:06 9 pier and beam foundation, I haven't told you how to build
10:10:10 10 the house, have I?

10:10:11 11 A. I think it's the -- I think it's the foundation for it.

10:10:18 12 MR. HILL: Pass the witness, Your Honor.

10:10:20 13 THE COURT: Any redirect?

10:10:22 14 MR. SHEASBY: No redirect, Your Honor.

10:10:23 15 THE COURT: Mr. Brady, you may step down and then
10:10:26 16 return to counsel table.

10:10:28 17 THE WITNESS: Yes, sir.

10:10:28 18 THE COURT: Counsel, approach the bench, please.

10:10:32 19 (Bench conference.)

10:10:42 20 THE COURT: Oakes by deposition is next?

10:10:48 21 MR. SHEASBY: Yes, Your Honor.

10:10:49 22 THE COURT: And what's the length of this
10:10:51 23 deposition?

10:10:51 24 MR. SHEASBY: I think it's 16 or 17 minutes,
10:10:53 25 Your Honor.

10:10:53 1 THE COURT: Total?

10:10:54 2 MR. SHEASBY: Yes.

10:10:55 3 THE COURT: Okay. Let's proceed with that.

10:10:56 4 (Bench conference concluded.)

10:11:06 5 MR. SHEASBY: Your Honor, Plaintiffs call as their
10:11:08 6 next witness Mr. Charles Oakes, one of the inventors, by
10:11:12 7 deposition.

10:11:16 8 THE COURT: All right.

10:11:28 9 MR. HILL: Your Honor, may we -- before that
10:11:30 10 starts, approach briefly. I apologize.

10:11:37 11 THE COURT: Approach the bench.

10:11:38 12 (Bench conference.)

10:11:40 13 MR. HILL: Your Honor, I believe there was an
10:11:41 14 instruction --

10:11:42 15 THE COURT: I have the instruction. I'm about to
10:11:44 16 give it.

10:11:44 17 MR. HILL: Oh, I'm sorry. I didn't -- I thought
10:11:47 18 we were starting the video.

10:11:48 19 THE COURT: Okay.

10:11:49 20 (Bench conference concluded.)

10:11:49 21 THE COURT: All right. Mr. Oakes has been called
10:11:52 22 by deposition to testify in this case.

10:11:53 23 Ladies and gentlemen, during the trial you're
10:11:56 24 going to see witnesses presented by video deposition. I
10:12:00 25 talked with you about that in my original instructions.

10:12:03 1 I want you to be aware that when a witness is
10:12:06 2 presented by deposition, both Plaintiff and Defendant have
10:12:10 3 an opportunity to pick and choose portions of the recorded
10:12:15 4 questions and answers to include in what's shown to the
10:12:19 5 jury.

10:12:19 6 So regardless of whether the testimony is played
10:12:22 7 by the Plaintiffs in the Plaintiff's case-in-chief or by
10:12:25 8 the Defendant in the Defendant's case-in-chief, both the
10:12:29 9 Plaintiff and the Defendant have had an opportunity to
10:12:32 10 select portions of the testimony that you're being shown as
10:12:36 11 a part of this witness by video deposition. I just want
10:12:38 12 that to be clear in your minds.

10:12:40 13 All right. With that instruction, let's proceed
10:12:42 14 with the witness by deposition.

10:12:48 15 (Videoclip played.)

10:12:48 16 QUESTION: Will you please state your name for the
10:12:50 17 record?

10:12:50 18 ANSWER: Charles Oakes.

10:12:51 19 QUESTION: And USAA is your current employer,
10:12:54 20 correct?

10:12:54 21 ANSWER: No, I'm retired from USAA.

10:12:55 22 QUESTION: When did you retire, sir?

10:12:58 23 ANSWER: April 1st, 2016. It's been over three
10:13:02 24 years.

10:13:03 25 QUESTION: And what have you been doing in your

10:13:05 1 retirement?

10:13:06 2 ANSWER: Playing golf, teaching golf. I teach
10:13:09 3 junior golf at my church, doing ministry work with my
10:13:12 4 church. Doing a lot of reading and gardening and enjoying
10:13:16 5 retirement life.

10:13:21 6 QUESTION: Prior to retiring on April 1st, 2016,
10:13:24 7 were you employed at USAA?

10:13:26 8 ANSWER: Yes, I was.

10:13:26 9 QUESTION: And how long prior to your retirement
10:13:29 10 had you been employed with USAA?

10:13:31 11 ANSWER: I had been employed with USAA for 15
10:13:34 12 years.

10:13:34 13 QUESTION: So from approximately 2001 to 2016?

10:13:38 14 ANSWER: That's correct.

10:13:38 15 QUESTION: When you were first named director of
10:13:43 16 Applied Research team again, you've spoken about the fact
10:13:46 17 that it was more of a paper-based research effort, correct?

10:13:49 18 ANSWER: That is correct. It was a paper-based
10:13:52 19 analysis of industry, when we would get a request from --
10:13:55 20 could be from the business, it could be from a technical,
10:13:58 21 to go evaluate this particular area to see the maturity of
10:14:03 22 it or not.

10:14:03 23 QUESTION: So someone at USAA would have an
10:14:06 24 interest in -- in some area of the industry, correct, and
10:14:10 25 they'd come to you and your team and say, will you guys

10:14:13 1 look into this? Is that a fair characterization?

10:14:17 2 ANSWER: That is -- was one of the processes
10:14:19 3 associated with it. We would get requests and then we
10:14:23 4 would take a look at it, did it fit, should we do it. We
10:14:27 5 would have to do kind of a cost analysis, how long would it
10:14:31 6 take. And then the result of that would end up being a
10:14:34 7 white paper analysis.

10:14:34 8 QUESTION: Would you look at what the competitors
10:14:37 9 in the industry were doing?

10:14:38 10 ANSWER: That wasn't our -- that wasn't our
10:14:40 11 mission, but in the analysis of the industry, we would take
10:14:42 12 a look at who were the leaders in the industry because
10:14:45 13 usually -- what I would call a sponsor, the sponsor that
10:14:49 14 requested it would ask who are the leaders in this industry
10:14:52 15 and what is the maturity of the industry.

10:14:55 16 QUESTION: So part of your research would include
10:14:57 17 looking to see what companies that were out there in that
10:15:00 18 space and analyzing what they were doing, correct?

10:15:05 19 ANSWER: We would take a look at as far as what
10:15:12 20 was out there in the industry and what their maturity
10:15:13 21 were -- associated with whatever aspect we were asked to
10:15:16 22 look at.

10:15:17 23 QUESTION: And you were a named inventor on some
10:15:19 24 of those patents, correct?

10:15:22 25 ANSWER: Correct.

10:15:22 1 QUESTION: What was the work USAA was doing on
10:15:25 2 remote deposit capture in 2006?

10:15:26 3 ANSWER: In 2006? Really, we were developing an
10:15:31 4 application to be able to do remote capture of checks using
10:15:37 5 the scanner, which really started back in early -- or late
10:15:42 6 2004, early 2005.

10:15:49 7 That was the essence, as far as what we were
10:15:51 8 doing, to be able to use a consumer-based type of device,
10:15:56 9 to be able to capture an image of a check, to be able to
10:16:00 10 take that check and to do whatever work was necessary,
10:16:06 11 image type of correction, to try to make sure that that
10:16:09 12 check was going to be able to be deposited through the
10:16:13 13 clearing house process and the item processing.

10:16:16 14 QUESTION: And did that product eventually have a
10:16:19 15 name?

10:16:19 16 ANSWER: It was called Deposit@Home.

10:16:20 17 QUESTION: Back in 2006, the primary thing that
10:16:25 18 you were working on related to Deposit@Home was to allow
10:16:31 19 consumers to use flatbed scanners, correct?

10:16:35 20 ANSWER: At that point in time, our -- our focus
10:16:38 21 was to be able to get a scanner to be used to be able to
10:16:43 22 get an image of a check to be able to deposit it.

10:16:45 23 QUESTION: Thank you. Do you recall when the
10:17:10 24 prototype for Deposit@Home using a flatbed scanner was
10:17:16 25 built?

10:17:17 1 ANSWER: Well, again, we're going back -- it
10:17:23 2 was -- it was launched in 2006, so prior to that was around
10:17:28 3 the late 2005 time frame.

10:17:36 4 QUESTION: Do you know when USAA and your team
10:17:39 5 started working on Deposit@Home?

10:17:42 6 ANSWER: We really started back in late 2004, 2005
10:17:48 7 time period.

10:17:49 8 QUESTION: I'll hand you what we'll mark as
10:17:52 9 Exhibit 1 to your deposition, sir. You see this is an
10:18:05 10 email dated September 15th, 2005?

10:18:09 11 ANSWER: Okay.

10:18:34 12 QUESTION: Do you see this is an email dated
10:18:36 13 September 15th, 2005?

10:18:38 14 ANSWER: Correct.

10:18:38 15 QUESTION: Does this refresh your recollection
10:18:41 16 about the time frame that USAA was building its prototype
10:18:43 17 for Deposit@Home?

10:18:44 18 ANSWER: Yes, it does. But there's -- if I could
10:18:49 19 explain when -- the definition of prototype as far as from
10:18:52 20 a research standpoint. The Deposit@Home was divided into
10:18:58 21 three major sections associated -- of the entire
10:19:01 22 application. The front end was the scanner.

10:19:08 23 So we had to be able to test it or prototype,
10:19:14 24 using the scanner to take control, and to be able to see
10:19:17 25 what kind of complexity that we're going to have and be

10:19:21 1 able to grabbing the image. So that -- that's the front
10:19:24 2 end.

10:19:25 3 The middle part was what I would call the server
10:19:29 4 side. So whatever image, because the intelligence wasn't
10:19:33 5 there in the scanner, would go to the server side to be
10:19:35 6 able to clean up the image, ensure -- even though it's a
10:19:40 7 semicontrolled environment, to make sure that that image
10:19:44 8 was where the CAR read or the amounts or the MICR line was
10:19:51 9 all there. So that was mid-tier.

10:19:53 10 Then what we called a back end item processing,
10:19:56 11 that was already there. Okay. So what we needed to do was
10:20:01 12 to determine how to control the scanner to get the image,
10:20:03 13 what do we do in the middle part to be able to clean up the
10:20:06 14 image, and then hook into the back end system. This here
10:20:13 15 is what they're talking about hooking into the back end
10:20:16 16 system, and so --

10:20:17 17 QUESTION: Just so we're clear, in the 2005/2006
10:20:21 18 time frame when USAA was developing Deposit@Home, the back
10:20:23 19 end item processing systems were already in place, correct?

10:20:27 20 ANSWER: That is a standard banking operation.

10:20:30 21 QUESTION: Did you mean a standard banking
10:20:39 22 operation?

10:20:40 23 ANSWER: Yes, in other words, for check clearing
10:20:41 24 item processing, it goes -- what did I say?

10:20:42 25 QUESTION: I think you said "baking."

10:20:44 1 ANSWER: That's my South Texas accent. My
10:20:46 2 apologies.

10:20:46 3 QUESTION: Completely understand.

10:20:48 4 ANSWER: That was the -- that's been there
10:20:52 5 probably through the '60s and '70s. It's what they call
10:20:54 6 the item processing that goes through the back end
10:20:58 7 clearing. So that was there. We didn't -- we didn't need
10:21:00 8 to develop that.

10:21:01 9 QUESTION: Perfect. So --

10:21:03 10 ANSWER: We just needed to hook in.

10:21:05 11 QUESTION: Perfect. So in terms of your
10:21:07 12 development in 2005 and 2006 of Deposit@Home, you didn't
10:21:10 13 need to develop any new back end item processing systems,
10:21:13 14 those were already in place, correct?

10:21:14 15 ANSWER: Those were in place, but what we had to
10:21:16 16 ensure is what we did on the front -- front end would be
10:21:19 17 accepted by the item processing because if it didn't, then
10:21:24 18 it would not clear through Chase and through the fed and
10:21:28 19 all that. So that was -- we didn't want to redo that.
10:21:34 20 There was no sense rebuilding that.

10:21:36 21 QUESTION: So at this point on October 27th or
10:21:40 22 around October 27th, 2005, you and your team felt the
10:21:44 23 development of the Deposit@Home was far enough along that
10:21:49 24 it should be shown to someone outside your team, correct?

10:21:53 25 ANSWER: We felt at that point in time because of

10:21:56 1 John Brady's expertise in the banking industry, that if --
10:21:59 2 if we showed it to him of what we were able to accomplish
10:22:03 3 at that point in time and get his feedback of whether he
10:22:06 4 believed, from a banking standpoint -- because he was our
10:22:10 5 expert in that area.

10:22:12 6 If he basically said, hey, I think you've got
10:22:14 7 something here, here are some of the suggested changes,
10:22:19 8 then we would continue to go forward. If he would have
10:22:23 9 come back and said, guys, this is not going to work, it's
10:22:26 10 not going to fly, we're not going to go anywhere
10:22:30 11 whatsoever, I don't give my recommendation in going
10:22:32 12 forward, we probably would have stopped.

10:22:33 13 But his expertise was very critical before we
10:22:39 14 showed the business, before we showed anybody else.
10:22:41 15 Because, again, this was something that was so radical and
10:22:44 16 disruptive to the industry that we knew that there was
10:22:48 17 going to be some folks that were not necessarily for it.
10:22:52 18 So we needed to get some feedback.

10:22:55 19 So that's what this official document talks about
10:23:00 20 is what we call the WAR report. I don't even remember what
10:23:07 21 it stands for anymore. But that's that demo that they're
10:23:10 22 talking -- speaking of that -- when John Brady, we showed
10:23:15 23 it to him.

10:23:15 24 QUESTION: So prior to 2006, all of the images
10:23:19 25 that USAA processed through the production system would

10:23:22 1 have been using a TWAIN driver, correct?

10:23:25 2 ANSWER: I'm sorry, repeat the question again.

10:23:28 3 QUESTION: Prior to this email --

10:23:29 4 ANSWER: Okay.

10:23:30 5 QUESTION: -- had USAA ever processed an image
10:23:36 6 caught by something using anything other than a TWAIN
10:23:40 7 driver?

10:23:41 8 ANSWER: We -- at that time, no, I don't believe
10:23:44 9 we did.

10:23:44 10 QUESTION: When did USAA release Deposit@Home?

10:23:49 11 ANSWER: Well, we released it, if I am correct,
10:23:53 12 to -- let me back up a little bit.

10:23:56 13 Whenever we get ready to launch or to deploy a new
10:24:01 14 application, we test it on employees first before we roll
10:24:06 15 it out to the membership because we want to make sure -- so
10:24:09 16 if I remember right, it was around the June time frame,
10:24:13 17 when we -- when we released it to employees.

10:24:16 18 QUESTION: Okay. And then how long after the
10:24:19 19 release to employees was it then released to the
10:24:21 20 membership?

10:24:21 21 ANSWER: I believe it was August 2009. I'm sorry.
10:24:27 22 I'm sorry. 2006. Sorry, I was thinking of mobile.

10:24:33 23 QUESTION: Is it fair to say that in the summer of
10:24:36 24 2006 and into August 2006, your primary focus was on the
10:24:42 25 Deposit@Home product?

10:24:43 1 ANSWER: In 2006, as far as deploying to our
10:24:49 2 members at that time in a production environment, it was in
10:24:53 3 Deposit@Home.

10:24:53 4 QUESTION: In October 2006, there wasn't any
10:24:56 5 active project at USAA that involved using a camera phone
10:25:00 6 to capture a check image, correct?

10:25:05 7 ANSWER: There wasn't a development project, but
10:25:07 8 we were working on a project in research. There is a
10:25:09 9 difference between the two.

10:25:10 10 QUESTION: Prior to October 2006, what research
10:25:16 11 had USAA done that involved using a camera phone to capture
10:25:21 12 an image of a check?

10:25:23 13 ANSWER: The initial research was using some of
10:25:26 14 the regular cameras, like a Canon or -- to see if we were
10:25:32 15 able to -- to -- what type of image that we were going to
10:25:36 16 be able to do.

10:25:36 17 So that way we could start making a determination
10:25:39 18 of what type work that we were going to have to do either
10:25:43 19 on the front end or the middle tier because it was going to
10:25:47 20 be a much, much more complex type of environment because
10:25:50 21 it's not going to be in a controlled environment like a
10:25:52 22 scanner.

10:25:53 23 So we started looking at that early on, as much as
10:25:55 24 we could at that point in time. But it wasn't -- it wasn't
10:25:58 25 like a development project.

10:26:01 1 QUESTION: Prior to October of 2006, had USAA done
10:26:07 2 any research using a camera phone, not a normal digital
10:26:15 3 like Canon camera, to capture an image of a check?

10:26:20 4 ANSWER: We were looking -- there were cameras --
10:26:23 5 camera phones at that point in time. There was like a
10:26:26 6 Simian, there was the -- Nokia-type devices that we were
10:26:32 7 starting to take a look at to see what we could do in a
10:26:36 8 mobile world.

10:26:36 9 QUESTION: In terms of USAA's ability to write
10:26:39 10 applications using -- to a camera phone, when did USAA do
10:26:45 11 that work?

10:26:46 12 ANSWER: It was early 2007. I think it was -- I
10:26:48 13 believe it was. That was one of the first projects that
10:26:52 14 Minya Liang started working on using the Simian OS on the
10:27:00 15 Nokia, I believe it was. And I think it was early 2007. I
10:27:05 16 may have my dates wrong, J2ME was -- was available.

10:27:11 17 QUESTION: So prior to that October 2006 email,
10:27:15 18 what, if any, work in research had USAA done on mobile
10:27:22 19 phones and their ability to take an image of a check?

10:27:26 20 ANSWER: Well, again, we were -- we were looking
10:27:29 21 at the various phones that were available at that time, to
10:27:34 22 determine what it was going to be able to take to be able
10:27:36 23 to capture an image and to be able to control that image
10:27:41 24 and to be able to pass it on.

10:27:43 25 QUESTION: Do you recall whether prior to that

10:27:46 1 email anyone at USAA had actually taken a check image with
10:27:50 2 a mobile phone camera?

10:27:51 3 ANSWER: That, I don't recall if we could or not
10:28:06 4 at that point in time. Well, let me -- let me clarify --
10:28:10 5 can I clarify that, or not? Okay.

10:28:16 6 QUESTION: I'm sure your counsel will let you
10:28:17 7 if -- during his time, if he wants to.

10:28:19 8 ANSWER: Well, as far as being able to take a
10:28:21 9 picture using -- a check of a mobile phone, that wasn't the
10:28:25 10 issue. The issue was being able to get the image off of a
10:28:28 11 phone in a way that we could process it downstream. So
10:28:31 12 I -- I thought that's what your question was. I may have
10:28:34 13 misunderstood it.

10:28:36 14 QUESTION: So prior to -- October 2006, USAA had
10:28:39 15 done -- had not done any work on processing an image of a
10:28:45 16 check taken with a mobile phone, correct? Is that fair?

10:28:48 17 ANSWER: As far as processing it through the
10:28:51 18 entire application at that point in time, no, other than
10:28:56 19 the one October in 2006.

10:28:58 20 QUESTION: Which was a webcam?

10:29:01 21 ANSWER: Which was a webcam, that is true.

10:29:05 22 QUESTION: Did you participate in ANSI's
10:29:07 23 standard-setting efforts regarding check deposit or check
10:29:12 24 imaging?

10:29:12 25 ANSWER: I did not participate in anything

10:29:14 1 associated with the standards body or ANSI.

10:29:16 2 QUESTION: Do you know if anyone at USAA did?

10:29:18 3 ANSWER: On the standards body?

10:29:20 4 QUESTION: Uh-huh.

10:29:22 5 ANSWER: Not to my knowledge, no.

10:29:23 6 QUESTION: Did you participate in any other
10:29:27 7 standard-setting efforts related to check deposit during
10:29:32 8 your career?

10:29:33 9 ANSWER: Never in my career, any standards bodies.

10:29:37 10 QUESTION: Do you know if anybody that ever
10:29:41 11 reported to you in USAA's research and development team
10:29:45 12 ever participated in any standards-setting organizations or
10:29:48 13 efforts related to mobile check deposit?

10:29:50 14 ANSWER: It's been a long time, but I don't recall
10:29:53 15 any of our folks being on any standards committees.

10:30:00 16 QUESTION: Is it fair to say that taking images of
10:30:03 17 checks is not something USAA invented?

10:30:06 18 ANSWER: I believe that the way that USAA takes
10:30:12 19 images -- captures images of checks in relation to the use
10:30:20 20 of scanners and the use of mobile -- mobile devices in the
10:30:25 21 auto capture is -- in the mobile is invented by USAA.

10:30:32 22 However, back end processing scanners that take
10:30:36 23 images of checks, USAA -- we didn't invent those.

10:30:39 24 QUESTION: To be clear, other banks took images of
10:30:44 25 checks long before 2006, correct?

10:30:48 1 ANSWER: Banks took images of checks in the item
10:30:51 2 processing and back end processing of -- of commercial type
10:30:55 3 of products.

10:30:58 4 QUESTION: So the imaging of checks was invented
10:31:04 5 long before 2006, correct?

10:31:06 6 ANSWER: The consumer-based imaging of check
10:31:10 7 captures was invented by USAA.

10:31:12 8 QUESTION: The imaging of checks was done by banks
10:31:19 9 long before 2006, yes or no?

10:31:20 10 ANSWER: The imaging of checks by banks prior to
10:31:24 11 2006 was done by banks.

10:31:25 12 QUESTION: So yes?

10:31:27 13 ANSWER: So USAA did not invent that part of
10:31:30 14 imaging.

10:31:31 15 (Videoclip ends.)

10:31:32 16 THE COURT: Does that complete this witness by
10:31:36 17 deposition?

10:31:36 18 MR. SHEASBY: It does, Your Honor.

10:31:38 19 THE COURT: All right. Ladies and gentlemen,
10:31:41 20 before we continue with Plaintiff's next witness, we're
10:31:43 21 going to take a brief recess.

10:31:45 22 If you will simply close and leave your notebooks
10:31:48 23 in your chairs. Follow all my instructions, including not
10:31:51 24 to discuss the case among each other. And we'll be back
10:31:54 25 shortly to continue with the next witness.

10:31:56 1 The jury is excused for recess at this time.

10:31:59 2 COURT SECURITY OFFICER: All rise.

10:32:00 3 (Jury out.)

10:32:24 4 THE COURT: Please be seated.

10:32:24 5 Counsel, the Court's persuaded that it would
10:32:30 6 benefit by a newly jointly resubmitted proposed final jury
10:32:38 7 instruction and verdict form, given the development of the
10:32:41 8 case, the evidence that's been presented so far in other
10:32:45 9 matters.

10:32:45 10 I think what you've initially presented and filed
10:32:48 11 probably needs to be updated. I'm going to order the
10:32:51 12 parties to jointly meet and confer and prepare and file by
10:32:56 13 noon tomorrow a newly resubmitted proposed final jury
10:33:00 14 instruction and verdict form, indicating clearly any areas
10:33:04 15 of difference by either different font or coloring or
10:33:08 16 something where your competing and diverse positions on any
10:33:13 17 matter can be put side-by-side for the Court's review.

10:33:16 18 Also, I'm going to direct that you furnish a copy
10:33:19 19 of the same document, in addition to filing it on the
10:33:23 20 docket, to the Court by email in Word format.

10:33:26 21 All right. We'll take a short recess and
10:33:29 22 continue. The Court stands in recess.

10:33:31 23 COURT SECURITY OFFICER: All rise.

10:45:30 24 (Recess.)

10:45:32 25 (Jury out.)

10:45:33 1 COURT SECURITY OFFICER: All rise.

10:45:33 2 THE COURT: Be seated, please.

10:45:34 3 Plaintiffs, are you prepared to call your next
10:45:42 4 witness?

10:45:43 5 MR. ROWLES: We are, Your Honor.

10:45:45 6 THE COURT: All right. Let's bring in the jury,
10:46:11 7 please.

10:46:11 8 COURT SECURITY OFFICER: All rise.

10:46:14 9 (Jury in.)

10:46:31 10 THE COURT: Welcome back. Please be seated.

10:46:32 11 Plaintiff, call your next witness.

10:46:51 12 MR. ROWLES: Thank you, Your Honor. Plaintiff
10:46:53 13 calls Professor Thomas Conte.

10:46:57 14 THE COURT: All right. Professor Conte, if you'd
10:47:00 15 come forward and be sworn by the courtroom deputy, please.

10:47:04 16 (Witness sworn.)

10:47:17 17 THE COURT: Please come around and have a seat on
10:47:19 18 the witness stand, sir.

10:47:22 19 MR. ROWLES: May I approach the witness with a
10:47:24 20 demonstrative before we begin, Your Honor?

10:47:26 21 THE COURT: You may.

10:47:32 22 THE WITNESS: Thank you.

10:47:33 23 THE COURT: All right. Mr. Rowles, you may
10:47:37 24 proceed with direct examination.

10:47:38 25 MR. ROWLES: Thank you, Your Honor.

10:47:38 1 TOM CONTE, PH.D., PLAINTIFF'S WITNESS, SWORN

10:47:38 2 DIRECT EXAMINATION

10:47:38 3 BY MR. ROWLES:

10:47:40 4 Q. Good morning, Professor. Could you please introduce
10:47:44 5 yourself to the jury?

10:47:45 6 A. Good morning. My name is Tom Conte. I live in
10:47:48 7 Decatur, Georgia, with my wife and two grown children and
10:47:53 8 three large adopted dogs. I am a professor at Georgia
10:47:53 9 Tech.

10:48:00 10 Q. Professor Conte, why are you here to testify today?

10:48:01 11 A. I'm here to present a technical analysis of the Wells
10:48:06 12 Fargo Mobile Deposit system.

10:48:07 13 Q. How many hours have you spent working on this case,
10:48:09 14 roughly?

10:48:10 15 A. I've worked approximately 300 hours on this case.

10:48:15 16 Q. Are you being compensated for your time spent working
10:48:19 17 on this case?

10:48:19 18 A. Yes, I am, at a customary rate for someone with my
10:48:21 19 experience, so the -- \$600.00 per actual hour worked.

10:48:23 20 Q. Is your compensation dependent in any way on the
10:48:26 21 testimony you're going to give or the outcome of the case?

10:48:28 22 A. Not at all.

10:48:29 23 Q. Could you tell the jury about your educational
10:48:38 24 background?

10:48:38 25 A. Sure. I grew up in Delaware. I was a person who

10:48:43 1 tinkered with things. I took things apart. So I knew I
10:48:47 2 wanted to be an engineer.

10:48:50 3 I rolled out of high school with a 2.4, so that
10:48:54 4 didn't look likely. But, luckily, I grew up in a small
10:48:57 5 state that had built a university that was too big for the
10:49:00 6 members of the state, so I was able to get into the
10:49:03 7 University of Delaware as an applied math major. And then
10:49:07 8 after a year of working hard, I -- I transferred to
10:49:10 9 electrical engineering.

10:49:10 10 Q. What did you do after the University of Delaware?

10:49:12 11 A. Well, when I was at the University of Delaware, I
10:49:16 12 realized I wanted to teach, so I got accepted to the
10:49:22 13 University of Illinois. And there I got my Master's in '88
10:49:30 14 and then my Ph.D. in '92.

10:49:34 15 Q. Could you tell the jury about your experience teaching?

10:49:36 16 A. Well, even though it was a very tough job market, I was
10:49:39 17 lucky enough to get an academic position at the University
10:49:43 18 of South Carolina right after I graduated, in Columbia,
10:49:47 19 South Carolina.

10:49:47 20 And that's where I met my future wife. And then
10:49:50 21 we moved to Raleigh-Durham, North Carolina, where I taught
10:49:56 22 at North Carolina State University until 2008.

10:49:59 23 My son is special needs. He has severe autism,
10:50:03 24 and it turned out that Atlanta had much better services, so
10:50:06 25 we then moved to the Atlanta area. And I teach now at

10:50:10 1 Georgia Tech where I'm a professor actually in two
10:50:15 2 departments, in computer science and intellectual and
10:50:19 3 computer engineering.

10:50:19 4 Q. While you were teaching, did you do any consulting work
10:50:21 5 in industry?

10:50:22 6 A. Yes. I've been lucky to consult throughout my career.
10:50:25 7 When I was at the University of South Carolina, there was a
10:50:28 8 messenger NCR division there, so I consulted for NCR a day
10:50:33 9 a week. I worked as an engineer there. That's where we
10:50:36 10 built bank servers.

10:50:37 11 And then when I went to Raleigh-Durham, there's a
10:50:41 12 lot of companies there. I was able to get a job a day a
10:50:45 13 week again, working at IBM. In fact, IBM's largest site in
10:50:49 14 the company is actually in Raleigh-Durham. You'd think it
10:50:51 15 was in New York, but it's in Raleigh-Durham.

10:50:54 16 And then a group of us went -- left IBM and did a
10:50:58 17 start-up. We called it Billions of Operations Per Second,
10:51:02 18 Inc., or BOPS. Our goal -- our stated goal was to design a
10:51:07 19 stated processor that was a super computer that could run
10:51:10 20 on two double A cells.

10:51:12 21 After that, then I -- with some of the people
10:51:17 22 again from IBM, went and joined Qualcomm, and we created
10:51:24 23 the Snapdragon mobile processor that's in a lot of Android
10:51:28 24 phones.

10:51:29 25 When I moved to Georgia Tech, I've continued to

10:51:33 1 consult. I currently consult for Northrop Grumman
10:51:40 2 Corporation and for two national labs, Sandia and Oak Ridge
10:51:42 3 National Lab.

10:51:43 4 Q. Have you received any recognitions for your work?

10:51:46 5 A. Well, first, it's not listed here, but I've received a
10:51:50 6 number of teaching awards. But I'm also a fellow of the
10:51:54 7 IEEE. That's the Institute of Electrical and Electronics
10:51:56 8 Engineers. That's my professional organization. And it's
10:51:59 9 also the largest professional organization of its kind in
10:52:03 10 the world.

10:52:04 11 And fellow is their highest membership category,
10:52:08 12 and I was promoted in 2005 for my work on microprocessors
10:52:12 13 and on computer performance evaluation.

10:52:18 14 In around 2013, I was elected to be president of
10:52:21 15 the IEEE Computer Society. That's the largest society in
10:52:26 16 IEEE. And I served in that role in 2015.

10:52:30 17 In 2016, I was elected to be vice chair of the
10:52:35 18 International Roadmap For Devices and Systems, or IRDS, we
10:52:38 19 call it.

10:52:39 20 What the IRDS is, it's a collection of volunteers
10:52:42 21 from across the computer industry, about a hundred of us,
10:52:47 22 and we predict the future of computing. We identify the
10:52:52 23 roadblocks to getting there, and we write up a report. We
10:52:55 24 call it the roadmap. We write it up in odd numbered years,
10:52:58 25 so we're just about to put out the 2019 one, and update in

10:53:03 1 the even numbered of years. That report is used by
10:53:06 2 governments, industry, and academia worldwide to set
10:53:10 3 research and development priorities.

10:53:16 4 MR. ROWLES: At this time, I tender
10:53:18 5 Professor Conte as an expert in the fields of computer
10:53:20 6 science and mobile and portable device technology.

10:53:20 7 THE COURT: Is there objection?

10:53:22 8 MR. MELSHEIMER: There is no objection,
10:53:22 9 Your Honor.

10:53:22 10 THE COURT: Without objection, the Court will
10:53:24 11 recognize this witness as an expert in those designated
10:53:27 12 fields.

10:53:28 13 Continue, counsel.

10:53:30 14 Q. (By Mr. Rowles) Professor Conte, could you summarize
10:53:33 15 for the jury the opinions that you're going to testify
10:53:35 16 about today?

10:53:36 17 A. Yes. So I analyzed the '681 and the '605 patent.

10:53:41 18 I forgot to mention, I'm the inventor of 40
10:53:44 19 patents.

10:53:45 20 I analyzed the Wells Fargo Mobile Deposit system,
10:53:49 21 and then after the analysis I'll present today, I concluded
10:53:53 22 that the patented inventions are present in the Wells Fargo
10:53:57 23 Mobile Deposit system.

10:53:58 24 Q. And what does an expert like yourself do to reach those
10:54:03 25 conclusions?

10:54:03 1 A. Well, we do a number of things. One is, if we can, we
10:54:07 2 experiment with the accused system. And I'm, in fact, a
10:54:10 3 Wells Fargo customer. So I had already experimented with
10:54:12 4 it, but I did more experimentation.

10:54:15 5 Also, the Court issues something called a
10:54:17 6 protective order.

10:54:19 7 Now, what this is, it's a document that if you
10:54:21 8 sign it, you're able to access proprietary information. So
10:54:27 9 I was able to access proprietary documents from Wells
10:54:30 10 Fargo, proprietary witness testimony of Wells Fargo
10:54:34 11 engineers, and also the proprietary source code of the
10:54:39 12 Wells Fargo system itself.

10:54:41 13 Q. And what legal rules did you apply in your analysis?

10:54:44 14 A. Well, I applied the U.S. statute which is making,
10:54:48 15 using, selling, offering for sale, or importing into the
10:54:52 16 United States any patented invention without permission.

10:54:56 17 Q. And could you orientate the jury to the different
10:54:59 18 components of a patent that you looked at?

10:55:01 19 A. Yes. I think we've heard a little about this, but I
10:55:04 20 thought it would be good to put it all together. A patent
10:55:06 21 has three patents. It has a cover page that tells you who
10:55:09 22 the inventors are, the name of the patent, when it was
10:55:14 23 filed, when it was issued, and it gives an abstract to
10:55:17 24 someone of skill in the art about what the patent is about.

10:55:20 25 And then there's this narrative called the

10:55:23 1 specification. And that really describes what the
10:55:24 2 invention is.

10:55:26 3 And then at the end, there are these numbered
10:55:29 4 sentences that are the claims. And those claims are the
10:55:34 5 specific definition of what the actual invention is that is
10:55:38 6 claimed.

10:55:40 7 Q. And how did you go about determining whether the
10:55:44 8 claimed inventions in the USAA patents were present in the
10:55:49 9 systems accused of infringement in this case?

10:55:51 10 A. Okay. So what I've done here on the left is I've re --
10:55:56 11 recreated a claim from one of the patents. In this case,
10:56:00 12 it's the 86 -- I'm sorry, the '681, Claim 12. And I
10:56:07 13 divided into rows -- those are called elements.

10:56:10 14 Now, for each element, I look at the accused
10:56:13 15 system. And if that element is present in the accused
10:56:15 16 system, then I mark it off.

10:56:16 17 If each and every element of a claim are present,
10:56:20 18 then the accused system infringes the claim. It's really
10:56:24 19 that simple.

10:56:25 20 Q. Did you have to consider whether Wells Fargo knew of
10:56:29 21 the patents or intended to infringe the patents in your
10:56:32 22 analysis?

10:56:33 23 A. No. No intent or knowledge is required for
10:56:36 24 infringement.

10:56:36 25 Q. So could you begin by giving the jury a roadmap of the

10:56:42 1 topics that you're going to cover today?

10:56:43 2 A. Sure. So I'm going to start describing the USAA
10:56:47 3 patented inventions. And then I'm going to give you my
10:56:50 4 analysis of the Wells Fargo Mobile Deposit system.

10:56:54 5 Now, with those two ingredients we can do that
10:56:59 6 analysis I just talked about. So we'll do that
10:57:00 7 element-by-element analysis of each of the asserted claims.

10:57:03 8 Q. So beginning with the USAA patents, could you briefly
10:57:08 9 describe what the USAA patents are about?

10:57:09 10 A. Yes. So these are about using a general purpose
10:57:13 11 computer. You can find this description in your juror
10:57:18 12 notebooks in the '605 patent, Column 3. It's about using
10:57:23 13 the -- the computers that people own or can easily acquire
10:57:27 14 to go ahead and deposit checks.

10:57:31 15 Q. And how does this use of a general purpose computer to
10:57:34 16 deposit checks compare to other forms of depositing checks?

10:57:38 17 A. Well, as you heard Mr. Brady say, and he held this --
10:57:41 18 actually this apparatus I have a picture of here. Prior to
10:57:45 19 this, you would have to go out and buy this specialized
10:57:47 20 scanner. And it actually read the magnetic ink, you know,
10:57:52 21 if you -- if you look at a check that -- I happen to have
10:57:57 22 one here. That funny number on the bottom are magnetic ink
10:58:01 23 characters.

10:58:01 24 And so this thing actually goes through, and it
10:58:04 25 reads those characters. So you'd have to use a device like

10:58:06 1 that. These are expensive. They're usually purchased by
10:58:09 2 businesses or enterprises. So the -- the invention here
10:58:12 3 does away with the need to do that or even have a magnetic
10:58:17 4 ink reader.

10:58:17 5 Q. Is there a particular definition of general purpose
10:58:23 6 computer that you applied in your analysis?

10:58:25 7 A. Yes, there is. So a general purpose computer was
10:58:30 8 defined by the Court, and the definition that Your Honor
10:58:33 9 gave us was a computer that is not specialized for a
10:58:36 10 particular purpose.

10:58:36 11 So that's -- that's like your smartphone. That's
10:58:40 12 a general purpose computer in there. You can download any
10:58:42 13 apps you want and do anything you want. I -- I do the
10:58:45 14 majority now of my email and actually even editing
10:58:49 15 documents on my smartphone these days.

10:58:51 16 Q. And did you also consider the testimony of Wells Fargo
10:58:54 17 engineers about general purpose computers?

10:58:56 18 A. I did. So here is Mr. Nishant Usapkar. He's a Wells
10:59:01 19 Fargo engineer. He was asked under oath, and he said: The
10:59:05 20 iPhone is a general purpose computer, yes.

10:59:07 21 So he agrees with me.

10:59:08 22 Q. So could you give an example from the USAA patents of
10:59:13 23 how check deposit is accomplished using these consumer
10:59:19 24 devices?

10:59:19 25 A. Yes. So here's an excerpt. This is from Column 10 of

10:59:23 1 the '605 patent. And it talks about providing a software
10:59:25 2 component -- you see that 532, that's a reference to a
10:59:30 3 figure. A lot of times when we read these, we skip over
10:59:32 4 them. But anyway, a software component 532 to a computer
10:59:37 5 530. That -- that's that software component. That's that
10:59:40 6 downloadable app.

10:59:42 7 Q. And what does the specification describe doing with
10:59:44 8 that downloadable component?

10:59:46 9 A. Well, if we go a little later here -- thank you. Thus,
10:59:50 10 while the computer 530 may be customer-controlled, the
10:59:54 11 customer downloads component 532 to facilitate deposit --
11:00:00 12 now, this is key -- thereby allowing the financial
11:00:03 13 institution to effectively control certain aspects of the
11:00:06 14 image generation and delivery process.

11:00:07 15 In essence, the financial institution is putting a
11:00:13 16 program in your phone that works like a teller at a bank.

11:00:17 17 Q. Does the patent specification say anything else about
11:00:20 18 how this general purpose computer or consumer device can be
11:00:24 19 configured?

11:00:24 20 A. Yes. It talks about the general purpose computer may
11:00:27 21 be in a desktop or a laptop configuration.

11:00:31 22 Q. And what is a laptop configuration in these patents?

11:00:33 23 A. Yeah, you know, a laptop configuration, we all know, is
11:00:36 24 something that's self-contained, all the components in one
11:00:39 25 box, and it's battery-powered.

11:00:44 1 Q. Does the patent give any particular examples of types
11:00:47 2 of computers that could be used with the invention?

11:00:49 3 A. Yes. Here's Figure 4 from the '605, and it's showing
11:00:54 4 some pretty conventional looking computers. You'll see
11:00:57 5 that, right? And it says: The physical environment
11:01:01 6 depicted may show the connected devices as computers, such
11:01:06 7 as -- such illustration is merely exemplary. And the
11:01:09 8 physical environment may be alternatively depicted or
11:01:13 9 described comprising various digital devices, such as a
11:01:17 10 PDA.

11:01:17 11 Q. And what is a PDA -- or what was a PDA in 2006?

11:01:20 12 A. We called handheld computers a personal digital
11:01:27 13 assistant back then.

11:01:27 14 Q. And could you give an example of PDA that was available
11:01:29 15 in 2006?

11:01:29 16 A. Yes. I dug this up. This is a picture of one that I
11:01:33 17 owned in 2006, and I was a proud owner of. It was very
11:01:41 18 geek chic at the time. It was a Palm TREO 700W smartphone.
11:01:47 19 It had a keyboard that you could enter things in. It ran a
11:01:47 20 mini version of the Windows operating system. It had a
11:01:48 21 touchscreen. It had a D-pad, so you could move the mouse
11:01:52 22 around. It had on the back a camera sensor so you could
11:01:56 23 take pictures. And you could also download any app you
11:01:59 24 wanted into this phone.

11:02:00 25 THE COURT: Dr. Conte, can you slow down a little

11:02:04 1 bit?

11:02:05 2 THE WITNESS: My apologies, Your Honor. I might
11:02:06 3 have had too much coffee. I will try --

11:02:09 4 THE COURT: Well, no matter what you may or may
11:02:11 5 not have ingested, please slow down.

11:02:14 6 THE WITNESS: I'm sorry, Your Honor.

11:02:16 7 THE COURT: Go ahead, counsel.

11:02:17 8 MR. ROWLES: I'll proceed, Your Honor. Thank you.

11:02:21 9 Q. (By Mr. Rowles) Dr. Conte, is a PDA like this Palm
11:02:26 10 TREO you just spoke about a type of device that you could
11:02:27 11 have invented -- or, excuse me, could have implemented the
11:02:29 12 USAA invention with back in 2006?

11:02:30 13 A. Yes. In fact, at that time, there were also other
11:02:33 14 kinds of phones. You know, the -- Apple didn't invent the
11:02:37 15 idea of a smartphone. What happened was Apple is a great
11:02:42 16 company at marketing things. And so Steve Jobs came out
11:02:45 17 wearing a turtleneck and jeans and held up this iPhone and
11:02:50 18 said, this is the iPhone.

11:02:51 19 But, honestly, that was a pretty bad smartphone
11:02:53 20 when it first came out. A lot of us were shocked it didn't
11:02:57 21 have a keyboard. You had to type on the glass. And still
11:03:00 22 to this day, I can type faster on a physical keyboard than
11:03:03 23 I can on the iPhone.

11:03:06 24 Q. Could you give some examples of types of devices that
11:03:10 25 could be used with the -- the USAA system described in

11:03:13 1 these patent specifications?

11:03:14 2 A. Sure. You know, the -- this system is durable. It --
11:03:19 3 it really can be used with any consumer device. All you
11:03:22 4 need is a general purpose computer and a camera. And that
11:03:25 5 includes the Optimus -- LG Optimus Pro. This looks a lot
11:03:32 6 like my dearly departed Palm TREO. There is the Moto
11:03:33 7 Droid. Here's an iPhone 11. And -- and here's an iPad
11:03:38 8 Pro. You'll see this thing looks -- essentially it's a
11:03:41 9 laptop.

11:03:43 10 Q. And how do the consumer devices communicate with the
11:03:46 11 bank for check deposit?

11:03:48 12 A. So the patent calls out -- and this is in Column 7. It
11:03:52 13 calls out several different ways you can do communication.
11:03:56 14 And it goes through this list, Internet, Internet, one of
11:04:02 15 the ways it calls out is a cellular network.

11:04:05 16 Q. Now, how -- we've talked about the general purpose
11:04:09 17 computer. How is the general purpose computer in the USAA
11:04:13 18 patents connected to the -- the capture device?

11:04:15 19 A. So the patent calls out that it's communicatively
11:04:20 20 coupled. If I were to take a hammer and break apart my --
11:04:24 21 my Palm TREO -- well, it's not a Palm TREO. I'm sorry, my
11:04:27 22 Google pixel here, you'll find the motherboard, and you'll
11:04:29 23 find the image sensor. And it's connected with a ribbon
11:04:34 24 cable, with a wire to that motherboard.

11:04:36 25 And then the patent goes on and says: The

11:04:38 1 computer may comprise software that allows the user to
11:04:41 2 control certain operations of the image capture -- capture
11:04:45 3 device. You see this is just a sensor.

11:04:48 4 So there's software that runs on here. In fact,
11:04:51 5 it's part of the Android operating system that let's you
11:04:54 6 read images off of that sensor.

11:04:57 7 Q. Were you in the courtroom earlier today, Dr. Conte,
11:05:01 8 when there was discussion of a TWAIN driver or TWAIN
11:05:06 9 software?

11:05:06 10 A. Yes. TWAIN is a software object that let's you read
11:05:12 11 things off of an image sensor. It's an acronym that stands
11:05:15 12 for technology without an interesting name. I -- I kid you
11:05:21 13 not.

11:05:23 14 So TWAIN was one way to do it. There's, of
11:05:26 15 course, a lot of different ways. With the sensor
11:05:29 16 integrated in the phone, Android uses its own software
11:05:36 17 object to do that.

11:05:37 18 Q. So to be clear, TWAIN software is one type of software
11:05:40 19 that could communicatively couple computers to capture
11:05:43 20 devices; is that right?

11:05:44 21 A. Yeah, it's one kind. I mean, the patent claims don't
11:05:46 22 say TWAIN. So you can use any kind of software object.

11:05:55 23 Q. Could you summarize for the jury the key features of
11:05:58 24 the USAA patents that we've just talked about?

11:05:59 25 A. Sure. So these are about check deposit using your own

11:06:02 1 devices. And the bank controls that check deposit through
11:06:06 2 this downloadable app on your phone. And you don't have to
11:06:08 3 go out and buy any specialized magnetic ink reader/scanner
11:06:16 4 kind of thing to do this.

11:06:17 5 Q. Okay. So what's the next step in your analysis?

11:06:19 6 A. All right. So now let's talk about the Wells Fargo
11:06:22 7 Mobile Deposit system.

11:06:32 8 Q. So what is the system accused of infringement in this
11:06:32 9 case?

11:06:32 10 A. It's shown here inside this red rectangle I have. It
11:06:36 11 has servers that are back in the data center. These are a
11:06:39 12 lot like the servers I built when I was at NCR. And those
11:06:43 13 run specialized software that Wells Fargo wrote for
11:06:46 14 managing check deposits as they come in.

11:06:49 15 There's also a mobile app that runs on your phone
11:06:52 16 that talks to those servers.

11:06:55 17 Q. And what role does the Wells Fargo software play in the
11:06:59 18 accused system?

11:07:00 19 A. Well, the Wells Fargo software controls the entire
11:07:02 20 deposit process.

11:07:04 21 Q. And so how in this case did you go about analyzing that
11:07:09 22 Wells Fargo software?

11:07:10 23 A. Well, I analyzed the source code.

11:07:17 24 Now, what source code is, is what I teach computer
11:07:20 25 science students about. It's really the set of

11:07:24 1 instructions that control a computer. And I'm going to
11:07:26 2 show you some -- the pieces I'll show you I'll walk through
11:07:30 3 how they work.

11:07:31 4 The source code is really what allows the Wells
11:07:34 5 Fargo Mobile Deposit system to control your phone.

11:07:36 6 Q. And what specific source code did you look at in this
11:07:40 7 case?

11:07:40 8 A. So under direction of the Court, Wells Fargo produced
11:07:46 9 multiple copies of the source code for the Android version,
11:07:50 10 for the iPhone version, and for the server code. And here
11:07:54 11 I'm showing all the versions I looked at. I looked at all
11:07:58 12 of these versions.

11:07:59 13 I determined that all the versions use the USAA
11:08:03 14 patented technology we're talking about. But I will focus
11:08:09 15 on versions that are the latest, which are 3.7.1 and 4.5.1,
11:08:16 16 since these are representative of all the other versions.

11:08:19 17 Q. So are all of the different versions of the iPhone and
11:08:21 18 Android application and the server code software that were
11:08:27 19 reviewed all made by Wells Fargo?

11:08:30 20 A. Yes.

11:08:30 21 Q. And so who makes the Wells Fargo system, the system
11:08:38 22 accused of infringement?

11:08:39 23 A. So Wells Fargo does. Wells Fargo creates the Wells
11:08:43 24 Fargo Mobile Deposit system. And the ingredients of that
11:08:45 25 are Wells Fargo's server -- their servers, right, both the

11:08:49 1 hardware and the software. And then the customer normal
11:08:54 2 device when it's under the direction of the Wells Fargo
11:08:57 3 created Mobile Deposit app.

11:08:58 4 Q. And what does Wells Fargo do with the Wells Fargo
11:09:01 5 Mobile Deposit system?

11:09:01 6 A. Well, using this system, Wells Fargo is able to accept
11:09:07 7 remote check deposits for mobile devices. So Wells Fargo
11:09:10 8 ultimately controls all the software and hardware that is
11:09:13 9 used with the Wells Fargo Mobile Deposit system.

11:09:22 10 Q. So that -- is that Wells Fargo software you looked at
11:09:25 11 sort of the glue that brings these pieces together?

11:09:28 12 A. It's -- it's the glue that makes the system, yes.

11:09:32 13 Q. Could you give some examples of how Wells Fargo
11:09:35 14 controls the Mobile Deposit process?

11:09:37 15 A. Yes. So here are some examples. They come from two
11:09:40 16 different sources. One is an Access FAQ that Wells Fargo
11:09:44 17 has on their website, and that's reproduced in PX-365 and
11:09:49 18 366.

11:09:50 19 Another is the Wells Fargo Online Access
11:09:53 20 Agreement. That's in PX-1409 that you agree to when you
11:09:58 21 download the app.

11:10:00 22 So they say Wells Fargo customers must download
11:10:03 23 and install a compatible version of the Wells Fargo mobile
11:10:06 24 app from the App Store for their mobile devices. If the
11:10:14 25 customer installs the app on an incompatible device, the

11:10:17 1 customer will not be able to mobile deposit checks.

11:10:21 2 Q. So does Wells Fargo accept mobile deposits from mobile
11:10:25 3 devices that are not running the Wells Fargo application?

11:10:27 4 A. No. In fact, the agreement will tell you if you
11:10:31 5 attempt to do that, they can revoke your privilege to do
11:10:34 6 mobile deposit.

11:10:34 7 Q. And did you find anything else in -- in these website
11:10:40 8 materials and -- and online agreement?

11:10:41 9 A. Yes. They say that Wells Fargo's customers must agree
11:10:45 10 to and abide by the Online Access Agreement in order to
11:10:49 11 make use of Wells Fargo's Mobile Deposit service. Wells
11:10:54 12 Fargo's customers must follow Wells Fargo's instructions to
11:10:59 13 successfully mobile deposit checks with Wells Fargo. And
11:11:02 14 the customer is required to submit images of sufficient
11:11:05 15 quality that can be processed for deposit.

11:11:11 16 Q. Could you give the jury an overview of what this mobile
11:11:16 17 deposit process actually looks like in the accused system?

11:11:18 18 A. Sure. So what you do is you launch the app on your
11:11:20 19 phone. And it will ask you to log in with a user name and
11:11:25 20 password. Then after you do that, you can click these
11:11:28 21 three horizontal bars, and that opens a menu. This menu
11:11:32 22 says things such as: Go to account summary, deposit
11:11:36 23 checks, transfer and pay, account services, et cetera.

11:11:41 24 Let's say we choose -- oh, I'm sorry.

11:11:43 25 Q. I apologize, Professor Conte, I didn't mean to speak

11:11:46 1 over you.

11:11:46 2 What happens if you select deposit checks?

11:11:48 3 A. Okay. By the way, the screenshots I'm going to
11:11:53 4 present, I believe, are in Exhibit 1402.

11:11:58 5 Let's say you select deposit checks. What comes
11:12:01 6 up next is this screen. And it asks you to choose a
11:12:04 7 deposit account. Here I've chosen everyday checking.

11:12:11 8 Q. What happens next?

11:12:12 9 A. Next, it asks you to enter the deposit amount.

11:12:15 10 Q. And what's the significance of the deposit button being
11:12:19 11 disabled at this point?

11:12:20 12 A. So you cannot initiate deposit until after you've taken
11:12:25 13 a picture of the front and the back of the check. That's
11:12:28 14 kind of like going through a drive-through at a bank and
11:12:31 15 you give them the deposit slip and you forget to give them
11:12:35 16 your check, they can't do the deposit, right? So you can't
11:12:38 17 initiate that deposit until those two images are taken.

11:12:41 18 Q. So how does the mobile device get the images of the
11:12:43 19 check?

11:12:43 20 A. What it does is it has this direction here. Take
11:12:47 21 photos of the front of check, back of check, and it has
11:12:50 22 some tips. You can click on that. It's a web link, and it
11:12:53 23 will pop up some tips.

11:12:55 24 And what you do is you press one of these two
11:12:58 25 buttons, either the -- the camera for the front of the

11:13:01 1 check or the camera for the back.

11:13:02 2 Q. And what does that look like in the phone?

11:13:04 3 A. So here's what shows up if you press front of check.

11:13:09 4 And what you'll see is it's the -- a camera app. It says

11:13:12 5 front of check will take the photo or you can use the

11:13:18 6 camera button. If you're too far away from the check, it

11:13:20 7 will say, hey, get closer.

11:13:23 8 Q. And so once you've captured these check images or once

11:13:28 9 the mobile device has captured the check images, what

11:13:33 10 happens next?

11:13:34 11 A. Okay. When you capture both the front and the back of

11:13:36 12 the checks, it gives you pictures of that so you can see if

11:13:38 13 you want to retake them.

11:13:39 14 And now this is critical. After you've done that,

11:13:42 15 in essence, you've given the bank your check. So it

11:13:45 16 enables that deposit button.

11:13:47 17 Q. And what happens after the deposit is submitted to the

11:13:50 18 bank?

11:13:51 19 A. So let's say that you click that button. Then what

11:13:56 20 comes back is a confirmation of deposit. And this tells

11:13:59 21 you things, such as successfully deposited your check. And

11:14:03 22 it gives a specific time, since Wells Fargo is a West Coast

11:14:06 23 bank. It tells you the account that it's deposited into,

11:14:10 24 the amount. It will tell you the date it's -- of deposit.

11:14:16 25 It will also tell you how much funds are available, and

11:14:21 1 also the date they're available. And then it gives you a
11:14:25 2 confirmation code.

11:14:27 3 So what this is, this is your receipt that you get
11:14:28 4 back from the teller, telling you you've successfully
11:14:32 5 deposited the check.

11:14:34 6 Q. And did you prepare a video to -- excuse me, to
11:14:37 7 demonstrate those steps in practice?

11:14:39 8 A. Yes. I directed an actor to go ahead and deposit this
11:14:43 9 actual check we see here. So we'll see this happen.

11:14:47 10 (Videoclip played.)

11:14:49 11 A. So they're choosing deposit checks. There's entering
11:14:52 12 the amount. He enters \$5.00. Then he takes a picture of
11:15:04 13 the front of the check, says, hey, you're too far away, get
11:15:07 14 closer. Then he's going to take a picture of the back of
11:15:13 15 the check. Turns over the check. It gives him a guide to
11:15:18 16 show him where the signature line should go. Again, says
11:15:25 17 you're too far away.

11:15:30 18 Now, there are the two images. And you see the
11:15:32 19 deposit button is enabled. He presses that. It
11:15:36 20 communicates with Wells Fargo's servers, and then there's
11:15:38 21 that confirmation receipt.

11:15:40 22 Q. So what's the next step in your analysis?

11:15:43 23 A. Well, now we're ready to go through the
11:15:46 24 element-by-element infringement analysis itself.

11:15:48 25 Q. And what claims of the USAA patents did you analyze?

11:15:53 1 A. I analyzed the claims that are asserted in this case.

11:15:57 2 Those are the '681, Claims 12, 13, 14, 20, 22, and 30.

11:16:05 3 And the '605, Claims 1, 3, 11, 12, 13, 14, and 20

11:16:12 4 [sic].

11:16:14 5 Q. Was that 22, the last one?

11:16:15 6 A. Yes, it was. My apologies. That was 22.

11:16:17 7 Q. So let's look at Claim 12 of the '681 patent, and could

11:16:21 8 you please just orient the jury to the claim that you've

11:16:24 9 presented?

11:16:25 10 A. Okay. On the left is how the claim appears in your

11:16:28 11 juror notebook, if you look at the back of the patent.

11:16:31 12 It's pretty small writing. It's kind of hard to parse.

11:16:35 13 So what I've done is I've broken that language out

11:16:37 14 into the table on the right. And then I've labeled each

11:16:43 15 row of the table -- each of the elements A through K.

11:16:46 16 Q. So before we begin in Claim 12, is there any particular

11:16:51 17 area of Claim 12 where there's some technical disagreement

11:16:54 18 between yourself and -- and Wells Fargo?

11:16:56 19 A. Yes. For Claim 12 of the 86 -- of the '681, there is

11:17:02 20 some disagreement about Element H. So when we get to that

11:17:05 21 element, I'll tell you why I think the position of Wells

11:17:09 22 Fargo is incorrect.

11:17:10 23 Q. And is it your understanding that there's no

11:17:18 24 disagreement that each of these other elements is present

11:17:20 25 in the system accused of infringement?

11:17:21 1 A. Yes. That's my understanding that Wells Fargo doesn't
11:17:23 2 contest that the other elements are present.

11:17:25 3 Q. So let's begin with Element A. What is Element A?

11:17:31 4 A. So it's a system for allowing a customer to deposit a
11:17:34 5 check using the customer's own mobile device with a digital
11:17:38 6 camera, the system configured to ask the customer to log in
11:17:42 7 with a user name and password.

11:17:45 8 Now, the Court gave us a definition of mobile
11:17:48 9 device. And that definition is: Handheld computing
11:17:54 10 device.

11:17:55 11 Q. And is it your understanding that that definition from
11:17:55 12 the Court, that that applies to the whole case; everyone
11:18:00 13 has to follow that definition? Is that your understanding?

11:18:00 14 A. Yes, that's my definition -- that's -- I'm sorry,
11:18:06 15 that's my understanding, yes.

11:18:07 16 Q. Does Claim 12 of the '681 patent require a mobile
11:18:11 17 phone?

11:18:11 18 A. No, just a mobile device.

11:18:12 19 Q. Are there mobile devices that aren't mobile phones?

11:18:15 20 A. Yes. I showed you my -- my iPad here.

11:18:19 21 Q. So what evidence did you look at for Element A of
11:18:23 22 Claim 12 of the '681 patent?

11:18:25 23 A. Okay. What I'm showing here is a table of devices that
11:18:29 24 Wells Fargo has tested that work with their mobile app.

11:18:33 25 And you'll see these testing procedures in PX-486 and

11:18:37 1 PX-487.

11:18:39 2 What you see on the right-hand column is what the
11:18:43 3 size of the digital camera sensor is. And let me proudly
11:18:47 4 point out that that second line uses the 1.0 gigahertz
11:18:53 5 Scorpion. That's the chip that I worked on.

11:18:56 6 Q. So are all the devices used with the Wells Fargo system
11:18:59 7 mobile devices with digital cameras?

11:19:00 8 A. Yes, they are.

11:19:01 9 Q. And do Wells Fargo's engineers agree about that?

11:19:06 10 A. Yes. After Nishant Usapkar -- right after he said that
11:19:11 11 the iPhone is a general purpose computer, he was asked:
11:19:14 12 And it has a general-purpose camera on it, correct?

11:19:18 13 And he said: Yeah, any mobile device will have a
11:19:23 14 general purpose camera on it, that's right.

11:19:26 15 So he agrees with me.

11:19:27 16 Q. And what else did you look at for Element A of Claim
11:19:30 17 12?

11:19:30 18 A. Also, this log-in using a user name and password. And
11:19:33 19 when you first fire up the app, it's going to ask you for a
11:19:37 20 user name and password.

11:19:40 21 Q. So is Element A of Claim 12 present?

11:19:43 22 A. Yes, Element A is present in the Wells Fargo Mobile
11:19:47 23 Deposit system.

11:19:49 24 Q. And so what did you analyze next?

11:19:51 25 A. So next, let's move on to B, C, and D. So B says: A

11:19:57 1 customer's mobile device including:

11:19:59 2 C says: Camera software that works with a digital
11:20:02 3 camera.

11:20:02 4 And D says: A downloadable app associated with a
11:20:09 5 bank to work with the camera software, and it goes on to
11:20:11 6 say, to control submission of a check for deposit.

11:20:14 7 So let's talk about those two highlighted pieces.

11:20:17 8 Q. So what did you look at in the Wells Fargo system
11:20:20 9 related to this downloaded app with -- with camera
11:20:24 10 software?

11:20:25 11 A. Okay. So let's say you want to make some money by
11:20:28 12 writing an iPhone app that you put in the Apple App Store.
11:20:33 13 What you do is you go to the document that's in the first
11:20:36 14 block here, that's called the developer's manual. And what
11:20:38 15 it tells you is to use the camera, you have to use a
11:20:41 16 software object called AVCaptureSession. That's the
11:20:47 17 equivalent of that TWAIN driver that we talked about.

11:20:50 18 In the next box, I'm showing you your first piece
11:20:53 19 of source code. And let me just explain it quickly.

11:20:56 20 On the left you see that line number. That's 594.
11:21:00 21 That's actually not part of the software. That's just
11:21:02 22 there so I can reference the different lines. But you'll
11:21:06 23 see that it uses that AVCaptureSession software. Now
11:21:06 24 what's using that -- what's using that is the Wells Fargo
11:21:15 25 downloadable app, and it works with the camera software to

11:21:17 1 control submission of a check for deposit.

11:21:19 2 Q. And is this software an example of that software that
11:21:25 3 communicatively couples the general purpose computer to the
11:21:28 4 image capture device that we talked about earlier?

11:21:30 5 A. Yes, that's exactly what it is.

11:21:32 6 Q. So are Elements B, C, and D present in Claim 12?

11:21:43 7 A. Yes, they are.

11:21:43 8 Q. And there's no dispute about that, right, to your
11:21:44 9 understanding?

11:21:44 10 A. There's no dispute.

11:21:46 11 Q. What is the next element that you looked at?

11:21:48 12 A. This is instructing the customer to have a digital
11:21:51 13 camera take photos of the front and the back of the check.

11:21:54 14 Q. So what did you look at for Element E?

11:21:57 15 A. So I looked at two things. One, we saw this screen
11:22:00 16 earlier, both in my walk-through and then in the video.
11:22:03 17 And this screenshot is in PX-1402. But I also looked at
11:22:07 18 the code itself which is in DTX-11 to see where this screen
11:22:12 19 is built. What this screen shows you is take photo, and
11:22:17 20 then it says front and back of check.

11:22:20 21 Q. So is Element E present in the Wells Fargo system?

11:22:23 22 A. Yes, it is.

11:22:24 23 Q. And what about Element F, what did you look at for
11:22:27 24 that?

11:22:27 25 A. So Element F says: Displaying a graphical illustration

11:22:31 1 to assist the customer in taking the photos, the
11:22:35 2 illustration assisting the customer in placing the digital
11:22:39 3 camera a proper distance away from the check.

11:22:43 4 Q. And is that done in the Wells Fargo system?

11:22:45 5 A. Yes. Again, we already saw this in both my
11:22:49 6 walk-through and then in the video. And I also verified
11:22:52 7 that this is what's going on in the software itself in
11:22:59 8 DTX-11. What it does is it gives you this frame, and it
11:23:02 9 will give you advice about the distance. It will say, for
11:23:06 10 example, get closer.

11:23:07 11 Q. And so what did you look at next?

11:23:08 12 A. Next I looked at Element G, which is presenting the
11:23:12 13 photos of the check to the customer after the photos are
11:23:14 14 taken.

11:23:15 15 Q. And does the Wells Fargo system do that, too?

11:23:17 16 A. Yes, again, we saw that in the walk-through and the
11:23:20 17 video. It presents photos of the check so that you can
11:23:23 18 decide whether or not they're good images. And that I
11:23:28 19 verified by looking at the source code, DTX-11, and this
11:23:32 20 just shows you a little larger version of that, and it's
11:23:35 21 the same image.

11:23:38 22 Q. So Element G, is that present in the Wells Fargo
11:23:41 23 system, as well?

11:23:41 24 A. Yes, Element G is present, as well.

11:23:44 25 Q. So what is Element H of Claim 12?

11:23:48 1 A. Element H is -- let's see if we can go through this.
11:23:53 2 Confirming that a mobile check deposit can go forward
11:23:55 3 after, and then the second part is, the system performs
11:24:00 4 optical character recognition on the check, where that
11:24:02 5 optical character recognition determines the amount of the
11:24:05 6 check and is going to read that -- that magnetic ink
11:24:09 7 character recognition number on the bottom of the check.

11:24:10 8 Q. So, first, can you explain to the jury what optical
11:24:16 9 character recognition, or OCR, is?

11:24:17 10 A. Yes. I think I heard in Wells Fargo's opening that it
11:24:22 11 was old technology. The only old technology is reading
11:24:25 12 printed text.

11:24:26 13 What OCR does is actually more like artificial
11:24:31 14 intelligence. It has to be able to decode, as shown in
11:24:34 15 this example, the written by anybody penmanship for \$5.00.
11:24:43 16 Imagine how many different people write the number 5 in
11:24:47 17 different ways. It has to be able to determine all of
11:24:49 18 those.

11:24:49 19 It also determines the M-I-C-R line, the MICR, I
11:24:53 20 think if you -- I might say that, MICR instead of M-I-C-R.
11:24:57 21 That line is really the DNA of the check. It gives you the
11:25:01 22 account number, it gives you the check number and the
11:25:03 23 routing number. So every check is uniquely identified by
11:25:07 24 that MICR line.

11:25:08 25 Q. And what -- what does the Wells Fargo system do with

11:25:14 1 this optical character recognition for check deposits?
11:25:17 2 A. Well, imagine, if you will, that you entered \$500.00 on
11:25:22 3 the form and then tried to submit a \$5.00 check. So what
11:25:26 4 the Wells Fargo system does is it looks at that and it very
11:25:32 5 politely says the amount you entered didn't match the
11:25:35 6 amount on the check photo. But it could be that you either
11:25:38 7 accidentally or on purpose were trying to get away with
11:25:41 8 something.

11:25:42 9 It also looks at the MICR line, and if it can't
11:25:44 10 find that at all, it knows it isn't an image of a check
11:25:49 11 because all checks have that line on it. So it will say,
11:25:52 12 couldn't process the check, take a new photo. And these
11:25:54 13 are described in PX-1416, and, again, I verified where
11:26:00 14 these happened in the software itself in DTX-11.

11:26:04 15 Q. So is the Wells Fargo's system validation of the check
11:26:09 16 amount and the MICR line part of its effort to prevent
11:26:14 17 fraud in mobile check deposits?

11:26:16 18 A. I think so, yes, absolutely. Like I said, imagine you
11:26:19 19 try to deposit a \$5.00 check but you entered on the form
11:26:24 20 \$500.00. Wells Fargo will give you a credit of a hundred
11:26:27 21 dollars. You've made \$95.00 just by fooling the system.

11:26:31 22 Q. Does this validation happen before that confirmation
11:26:35 23 message is displayed on the customer's device?

11:26:36 24 A. Yes, it does. So the validation message -- let's --
11:26:43 25 let's -- how to put this.

11:26:44 1 The optical character recognition is done before
11:26:48 2 you get the confirmation message. So it's going to
11:26:52 3 check -- it makes sense, right? It's going to check that
11:26:54 4 there aren't any errors in the check, that it can read the
11:26:58 5 account number, that the number you entered matches the
11:27:01 6 number on the check before it's going to do the deposit.

11:27:05 7 Q. So if we think back to that video demonstration, this
11:27:10 8 validation is happening while the customer is kind of
11:27:12 9 waiting for that response back with the confirmation after
11:27:16 10 they've hit submit deposit; is that right?

11:27:18 11 A. Yeah, that is exactly what's happening.

11:27:21 12 Q. So this happens pretty quickly from the user's
11:27:23 13 perspective, right?

11:27:23 14 A. Well, from the user's perspective, that's because the
11:27:27 15 servers are quite quick.

11:27:29 16 Q. And so what happens after a successful validation of
11:27:35 17 the amount of the check and the MICR line?

11:27:37 18 A. Okay. So, as I said, you get that confirmation message
11:27:42 19 after those two things are done.

11:27:44 20 Wells Fargo, on their website, tells you, you'll
11:27:48 21 receive a confirmation message on your mobile device for
11:27:51 22 each successful deposit.

11:27:53 23 And sure enough, that's what we saw in both my
11:27:56 24 walk-through and in the video. You get this confirmation
11:28:00 25 message. This confirmation message is your receipt.

11:28:04 1 Q. Is there a relationship between the confirmation
11:28:08 2 message that the mobile device displays and the optical
11:28:12 3 character recognition and analysis that happens on the
11:28:14 4 servers?

11:28:15 5 A. Yes, there is. So this is our first big piece of -- of
11:28:19 6 source code.

11:28:19 7 So let me describe and orient you to some of this.
11:28:24 8 You see what's in blue that's preceded with a double slash,
11:28:28 9 those are actually comments from the source code writer.
11:28:31 10 The source code writer puts in comments for other
11:28:34 11 programmers when they come along maybe to fix a bug. And
11:28:38 12 it says, we load information from the server and use them
11:28:42 13 to populate the form.

11:28:43 14 Now, if you go some lines down, what I've done is
11:28:46 15 I've put, in this yellow rectangle, information that's
11:28:51 16 coming back from the server, and then I've highlighted how
11:28:54 17 it picks off the different pieces of that form. You'll see
11:28:58 18 where it gets the account you deposit into, the amount, the
11:29:03 19 status, the confirmation code, the available amount data,
11:29:10 20 the available amount, et cetera.

11:29:11 21 Q. Now, you mentioned earlier that Element H is one where
11:29:16 22 there's some disagreement or you understand there's some
11:29:18 23 disagreement by Wells Fargo. Could you explain that?

11:29:20 24 A. Wells Fargo says that the optical character recognition
11:29:25 25 doesn't happen on the server or can't happen on the server,

11:29:31 1 according to the claim.

11:29:32 2 Q. Well, let me ask it this way: Were you here during
11:29:36 3 opening statements, Dr. Conte?

11:29:37 4 A. I was.

11:29:38 5 Q. Do you recall when counsel for Wells Fargo described a
11:29:43 6 reason why they think the Wells Fargo system doesn't
11:29:46 7 infringe the USAA patents?

11:29:48 8 A. I was.

11:29:49 9 Q. And is it your understanding that their position is
11:29:53 10 they do this OCR on the server, and so that can't infringe
11:29:56 11 the patents?

11:29:58 12 A. Yes, I understand that's their position.

11:30:00 13 Q. And what's your response to that?

11:30:02 14 A. Well, first, the patent calls out that it can happen on
11:30:09 15 the server. Remember how I said this is a pretty
11:30:12 16 sophisticated algorithm. You want to do it on your big
11:30:15 17 iron hardware. You want to do it because it's going to
11:30:18 18 prevent someone from getting away with depositing a \$5.00
11:30:21 19 check as a \$500.00 check. So you want to use the most
11:30:25 20 advanced version of software you've got.

11:30:27 21 Until fairly recently, mobile phones didn't have
11:30:31 22 that kind of computing power. And so also, if I look at
11:30:37 23 the claim itself, it's clear that this happens or is
11:30:44 24 allowed to happen anywhere in the Wells Fargo system.

11:30:51 25 Q. In Claim 12 of the '681 patent, what component of the

11:30:55 1 claim is required to perform the optical character
11:30:58 2 recognition on the check?

11:31:00 3 A. The claim is really clear. It says, the system. It
11:31:04 4 doesn't say the customer's mobile device. It says, the
11:31:08 5 system.

11:31:09 6 Q. And what is the system in Claim 12?

11:31:11 7 A. Well, read the claim. It starts with a system for
11:31:20 8 allowing a customer to deposit a check, the system
11:31:22 9 including. And it calls out the customer's mobile
11:31:27 10 device -- I've highlighted that here -- and a computer
11:31:29 11 associated with the bank. That's the server. So it's
11:31:30 12 saying anything in that red rectangle can do the optical
11:31:34 13 character recognition. That's what the claim says.

11:31:36 14 Q. So is there any problem with infringement of Claim 12
11:31:39 15 of the '681 patent based on doing OCR on a bank's server?

11:31:45 16 A. No.

11:31:47 17 Q. So is Element H of the claim -- of Claim 12 of the '681
11:31:52 18 patent present in the Wells Fargo system?

11:31:53 19 A. It is.

11:31:54 20 Q. And so what did you look at next?

11:31:56 21 A. Next I looked at using a wireless network to transmit a
11:32:01 22 copy of the photos, and then submitting the check for
11:32:07 23 mobile deposit in the bank after the photos of the check
11:32:09 24 are presented to the customer.

11:32:10 25 Q. And how does that work in the Wells Fargo system?

11:32:12 1 A. Well, we saw that, right, that, first, when you take a
11:32:17 2 picture, it's not going to allow you to submit the copies
11:32:21 3 of the check until it's shown you the copies you've taken
11:32:27 4 of both sides of the check.

11:32:29 5 But another thing is that each time you take a
11:32:31 6 picture in the Wells Fargo system, it sends that picture to
11:32:34 7 the bank server.

11:32:38 8 Q. And so what's the next element you looked at?

11:32:41 9 A. The next element I looked at is a computer associated
11:32:44 10 with a bank. That's the server -- programmed to update a
11:32:49 11 balance of an account to reflect the check submitted for
11:32:54 12 mobile check deposit.

11:32:55 13 Q. And Element J specifically calls out a computer
11:32:59 14 associated with the bank; is that right?

11:33:01 15 A. That's correct.

11:33:02 16 Q. So when you analyze this claim element, updating a
11:33:04 17 balance of the account, that's something that has to happen
11:33:08 18 on a bank computer, right?

11:33:10 19 A. That's correct.

11:33:12 20 Q. And did you find that updating of account balances on
11:33:16 21 the Wells Fargo bank computers?

11:33:17 22 A. Yes. It would be no surprise to you that they actually
11:33:20 23 give you your money. And this is where it happens. This
11:33:22 24 is in DTX-11. This is an excerpt from the source code on
11:33:25 25 the server's side. And it says updateAccountInfo balance,

11:33:33 1 and that's what this code does.

11:33:33 2 Q. So is Claim Element J present in the Wells Fargo
11:33:39 3 system?

11:33:39 4 A. It is.

11:33:40 5 Q. And what's the last element on your list there?

11:33:43 6 A. So the last element is, the system configured to
11:33:45 7 generate a log file. The -- the log file includes an image
11:33:48 8 of the check submitted for mobile deposit.

11:33:48 9 Q. And did you find such a log file in the Wells Fargo
11:33:52 10 system?

11:33:52 11 A. Yes. Again, it's no surprise that Wells Fargo
11:33:55 12 maintains a log.

11:33:58 13 Q. Let me ask. Element K starts the system configured; is
11:34:03 14 that right?

11:34:03 15 A. That's correct.

11:34:03 16 Q. So is this another one of these elements where the
11:34:05 17 functionality could be on the mobile device or the bank
11:34:08 18 servers?

11:34:08 19 A. Yes, it could be on the mobile device or the bank
11:34:14 20 server, anything in that red rectangle.

11:34:14 21 Q. And in the Wells Fargo system, where does the log file
11:34:16 22 get generated?

11:34:17 23 A. It gets generated on the server. And I looked at the
11:34:21 24 source code, DTX-11. And you'll see -- again, no surprise,
11:34:25 25 they keep a log of each check that gets deposited. It

11:34:30 1 keeps a log of the customer account number, the check
11:34:33 2 amount -- that check DNA, the MICR information, the device
11:34:38 3 info, confirmation code, and then a bi-tonal TIFF check
11:34:45 4 image.

11:34:45 5 Q. And what is a bi-tonal TIFF check image?

11:34:48 6 A. So bi-tonal means black and white, and TIFF is a
11:34:52 7 specific format required by law for electronic deposits.
11:34:55 8 And so this is, in fact, the TIFF image of this check here.

11:35:01 9 Q. And why does it have to be black and white?

11:35:03 10 A. Because that's what the law says.

11:35:05 11 Q. So are all the elements of Claim 12 of the '681 patent
11:35:13 12 present in the Wells Fargo system?

11:35:15 13 A. Yes. We've walked through each and every one, and I've
11:35:18 14 shown you my evidence. They're all present.

11:35:20 15 Q. And so what other claims did you look at in the '681
11:35:22 16 patent?

11:35:22 17 A. Well, I looked at a number of other claims. The good
11:35:28 18 news is, I think, that a lot of the evidence we just went
11:35:31 19 through is going to be the same evidence we need for the
11:35:34 20 other claims. So the rest of what happens for all the
11:35:38 21 claims that come is going to happen a little faster.

11:35:40 22 I looked at Claim 13, 14, 20, and 22. These are
11:35:45 23 dependent claims on Claim 12. And then at Claim 30.

11:35:52 24 Q. So what does Claim 13 add to Claim 12?

11:35:57 25 A. So let's see, it says: A system of Claim 12, wherein

11:36:00 1 the optical character recognition includes comparing the
11:36:03 2 determined amount to an amount indicated by the customer.

11:36:06 3 And we already saw that. It does do that
11:36:09 4 comparison. I verified that in the source code. But also,
11:36:13 5 if it doesn't match, in my experimentation, you'll see this
11:36:18 6 error message pop up.

11:36:24 7 Q. And so if the dollar amount you put in the application
11:36:24 8 doesn't match what's actually on the check, they're not
11:36:26 9 going to put that money in your bank account; is that how
11:36:29 10 it works?

11:36:29 11 A. No, they -- they're not going to trust you on what you
11:36:31 12 said was on the check.

11:36:32 13 Q. So is Claim 13 present in the Wells Fargo system?

11:36:38 14 A. It is.

11:36:38 15 Q. And so what does Claim 14 add to Claim 12?

11:36:41 16 A. So Claim 14 adds: Wherein the system is configured to
11:36:45 17 perform the update after it is determined that the -- that
11:36:49 18 there's some mark or signature on the back -- in the
11:36:53 19 endorsement location on the back of the check.

11:36:56 20 Q. And does Wells Fargo look for a mark or signature in
11:37:00 21 that endorsement location?

11:37:02 22 A. It does. In fact, it looks for two things. It looks
11:37:07 23 for a -- a customer signature, and but it also -- since
11:37:09 24 2018, checks have been showing up with this check box for
11:37:15 25 mobile remote deposit. So it looks to see if you checked

11:37:20 1 that, as well.

11:37:21 2 Q. So is Claim 14 present in the Wells Fargo system?

11:37:26 3 A. It is.

11:37:27 4 Q. And what's added in -- in Claim 20 to Claim 12?

11:37:28 5 A. Claim 20 adds that the mobile app causes the customer's
11:37:32 6 mobile device to have an additional step of receiving input
11:37:35 7 from the user about the amount of the check.

11:37:37 8 Q. And so are these dependent claims just sort of calling
11:37:41 9 out particular features of -- of the invention that might
11:37:44 10 be implemented?

11:37:45 11 A. Yes, they are.

11:37:46 12 Q. So let's look at Claim 22. What -- what does Claim 22
11:37:52 13 require?

11:37:53 14 A. Claim 22 is the system of Claim 12 wherein the
11:37:56 15 confirming step takes place after a duplicate detection is
11:38:00 16 performed on the check.

11:38:02 17 Q. And can you explain what duplicate detection is?

11:38:05 18 A. Yeah. The bank wants to make sure that someone doesn't
11:38:10 19 try to deposit the same check twice. So what the bank does
11:38:14 20 is they look at the DNA, the MICR line, to see if that's
11:38:17 21 been already deposited.

11:38:18 22 Q. And so a customer trying to deposit a check twice, is
11:38:22 23 that a type of fraud?

11:38:24 24 A. Yes.

11:38:24 25 Q. And is duplicate detection a way of preventing that

11:38:28 1 fraud?

11:38:28 2 A. Yes, absolutely.

11:38:29 3 Q. Claim 22, that requires the duplicate detection to be
11:38:38 4 formed before confirming the deposit; is that your
11:38:40 5 understanding?

11:38:40 6 A. Yes, that's my understanding.

11:38:41 7 Q. And how did you determine that the Wells Fargo system
11:38:45 8 does that required duplicate detection?

11:38:48 9 A. I did it two ways. One is I looked in the source code,
11:38:51 10 and I found that it does that.

11:38:53 11 The other way, though, is we have sworn testimony
11:38:57 12 of the Wells Fargo corporate representative, Mr. Arun
11:39:04 13 Darpally, and he said under oath, we look into our database
11:39:07 14 to see the same MICR line and the amount are already there
11:39:12 15 present in the database in the last 90 days. If, yes, we
11:39:16 16 show the user the duplicate message.

11:39:20 17 Q. Dr. Conte, I'm going to step back a couple slides, in
11:39:23 18 excess of caution, and ask you: Is Claim 20 present in the
11:39:26 19 Wells Fargo system?

11:39:27 20 A. Yes, Claim 20 was asking the user to enter an amount.
11:39:31 21 And, yes, that's present.

11:39:33 22 Q. So what's the next claim in the '681 patent that you
11:39:38 23 looked at?

11:39:38 24 A. I looked at Independent Claim 30.

11:39:42 25 Q. And what does Claim 30 look like?

11:39:44 1 A. Well, in your notebook, it will look like this on the
11:39:48 2 left. And what I've done again is I've used the larger
11:39:52 3 font. And I've put it into a table, and I've labeled each
11:39:55 4 of the elements A through H.

11:39:58 5 Q. And so what's the first element of Claim 30?

11:40:03 6 A. The first element is a non-transitory computer-readable
11:40:07 7 medium storing an app that when downloaded and run by the
11:40:11 8 consumer's device, causes the customer's device -- the
11:40:14 9 customer's device to perform a mobile deposit.

11:40:17 10 Q. And so this claim begins a little differently than
11:40:21 11 Claim 12 which started the system or a system; is that
11:40:23 12 right?

11:40:23 13 A. Yeah. My -- my understanding is that this is a way to
11:40:28 14 write a claim about a -- a piece of software. And it
11:40:32 15 includes this idea of a non-transitory computer-readable
11:40:37 16 medium storing something -- storing an app.

11:40:39 17 Q. And so are these Elements B through H, are those steps
11:40:44 18 that the Wells Fargo software causes the Wells Fargo system
11:40:51 19 to perform?

11:40:51 20 A. Yes. The Wells Fargo software causes the Wells system
11:40:53 21 to perform Steps B through H.

11:40:55 22 Q. And did you determine if the Wells Fargo application is
11:40:57 23 stored on a computer-readable media?

11:41:00 24 A. Yeah. I tripped down on this. So, first, it's stored
11:41:04 25 in non-transitory computer-readable media when it -- when

11:41:07 1 it's on your phone, right? That's the flash storage on
11:41:10 2 your phone.

11:41:12 3 But, also, recall, you got that from an App Store.
11:41:15 4 Well, in the App Store, it's stored on a non-transitory
11:41:21 5 computer-readable media. That's the disk on the server of
11:41:25 6 the App Store.

11:41:27 7 Now, a third example is, of course, the Wells
11:41:29 8 Fargo programmers stored the app on their own development
11:41:33 9 computer disk when they were developing the app. So for
11:41:36 10 three different reasons Wells Fargo infringes this element.

11:41:41 11 Q. And in that third example, is the Wells Fargo
11:41:44 12 application something that Wells Fargo makes?

11:41:45 13 A. Yes.

11:41:46 14 Q. And is the -- is Wells Fargo using a non-transitory
11:41:52 15 computer-readable medium to store that application?

11:41:54 16 A. Yes, it is.

11:41:56 17 Q. Would there be any way for Wells Fargo to distribute
11:42:02 18 its application to customers without doing that step?

11:42:04 19 A. No, there would be no way.

11:42:05 20 Q. So what did you look at next in Claim 30?

11:42:08 21 A. Well, we could go through each of these, and you'll see
11:42:11 22 that it would be déjà vu. So each of these elements are
11:42:17 23 present already, for reasons that I provided in Claim 12.

11:42:21 24 Q. And can you explain for the jury how Claim 12 and
11:42:24 25 Claim 30 of the '681 patent match up?

11:42:27 1 A. Yes. What I've done here is with colors and arrows,
11:42:33 2 I've shown you how each element of Claim 12 that we already
11:42:37 3 showed infringed map to elements in Claim 30.

11:42:41 4 So, for example, E in 12 maps to B in 30. And F
11:42:46 5 in 12 maps to C and D in 30, et cetera.

11:42:51 6 Q. Is there a slight difference between how Element F, the
11:42:56 7 confirming limitation in Claim 30, is worded versus
11:43:01 8 Element H in Claim 12?

11:43:03 9 A. Yes. So actually, Element H is a little more
11:43:07 10 restrictive. It says that the confirming happens after the
11:43:10 11 system performs optical character recognition.

11:43:13 12 F says just that the optical character recognition
11:43:15 13 is performed.

11:43:17 14 Q. So it doesn't necessarily have to be performed by any
11:43:19 15 particular component in Claim 30?

11:43:21 16 A. That's correct.

11:43:24 17 Q. And so are -- are Claim Elements B through F met in --
11:43:29 18 in the Wells Fargo system, Claim 30?

11:43:30 19 A. They are.

11:43:31 20 Q. What's the next element that you looked at?

11:43:34 21 A. It's Element G, which says, checking for errors. Now,
11:43:38 22 we've already seen some of that, right? We saw it check
11:43:42 23 for errors if the -- the check amount didn't match the
11:43:44 24 amount entered or if the MICR line wasn't on the check
11:43:49 25 image.

11:43:49 1 But also, there's other errors that it checks for.
11:43:57 2 Here's an example of an error that it checks for when it's
11:43:59 3 communicating with the server. So this is on the mobile
11:44:00 4 app side. And you'll see it says check for errors.

11:44:04 5 Q. So is Element G present in the Wells Fargo system?

11:44:06 6 A. Element G is also present.

11:44:09 7 Q. And so what's the last element in Claim 30?

11:44:12 8 A. The last element is, using a wireless network
11:44:17 9 transmitting a copy of photos over a public communication
11:44:21 10 network from the mobile device, submitting the check for
11:44:25 11 mobile deposit after the customer is authenticated, the
11:44:29 12 photos of the check presented to the customer, and the
11:44:31 13 customer's mobile device checking for errors.

11:44:34 14 So let's walk through that.

11:44:36 15 Q. Well, how does that relate to Claim 12, or how does
11:44:39 16 that match up with what you've already talked about for
11:44:45 17 Claim 12?

11:44:46 18 A. Well, that language about transmitting a copy of the
11:44:48 19 photos over a public communications network, that's also
11:44:50 20 present in Claim 12, Element I, and we saw that.

11:44:53 21 Q. Are there some additional requirements in Claim H --
11:44:57 22 or, excuse me, Element H of Claim 30?

11:44:59 23 A. There are. One is submitting the check for mobile
11:45:04 24 check deposit after the customer has authenticated. So we
11:45:08 25 already went through that user name and password

11:45:10 1 authentication. And you can't submit until the photos are
11:45:17 2 presented because you don't have that button enabled,
11:45:22 3 right? You can't even ask the teller inside your phone to
11:45:26 4 submit the check until it presents both images you took and
11:45:30 5 you can verify they're good images.

11:45:32 6 And also, the last piece of this is the mobile
11:45:36 7 device checks for errors. And I just showed you that in
11:45:39 8 Element G.

11:45:40 9 Q. Now, some of these steps you've talked about describe
11:45:47 10 different steps that happen during the mobile deposit
11:45:50 11 process in the Wells Fargo app, right?

11:45:52 12 A. That's correct.

11:45:53 13 Q. Are any of the elements of the claims in the '681
11:45:59 14 patent that you've analyzed steps that the customer
11:46:02 15 performs?

11:46:04 16 A. No, none of these are -- are customer steps.

11:46:07 17 Q. So what is actually performing these steps, like
11:46:10 18 presenting the photos or confirming the deposit in the
11:46:12 19 Wells Fargo system?

11:46:13 20 A. The Wells Fargo software is doing this.

11:46:15 21 Q. So is Element H of Claim 30 present in the Wells Fargo
11:46:19 22 system?

11:46:20 23 A. Yes, it is.

11:46:21 24 Q. And so could you summarize for the jury now what
11:46:26 25 conclusions you've reached with respect to the '681 patent

11:46:30 1 claims?

11:46:30 2 A. All right. So for all the evidence that I've shown
11:46:33 3 you, the asserted claims of the '681 patent I found were
11:46:38 4 present in the Wells Fargo Mobile Deposit system.

11:46:41 5 Q. So moving on to the '605 patent, what claims did you
11:46:45 6 look at there?

11:46:47 7 A. Here, I looked at Claim 12, 13, 14, and 22, and
11:46:51 8 Claim 1, 3, and 11. And, again, you'll see much of the
11:46:57 9 same evidence I used in the '681 we can reuse here. So
11:47:04 10 these will go a little faster.

11:47:07 11 Q. So what does Claim 12 of the '605 patent look like?

11:47:13 12 A. Claim 12 of the '605 is shown here. It is labeled in
11:47:22 13 Rows A through N. Again, on the left is how it appears in
11:47:24 14 your juror notebooks. On the right, all I've done is I've
11:47:27 15 used a bigger font, made it a little easier to read.

11:47:30 16 Q. And is it your understanding that for the -- for
11:47:32 17 Claim 12 of the '605 patent, there's actually no dispute
11:47:37 18 that any individual element is present in the accused Wells
11:47:40 19 Fargo system?

11:47:41 20 A. That's correct. It's my understanding that there's no
11:47:43 21 dispute about any of these claim elements.

11:47:45 22 Q. Is there some correspondence between Claim 12 of the
11:47:50 23 '605 patent and the things you've discussed for the '681
11:47:54 24 patent, as well?

11:47:54 25 A. There is. So on the left is the claim we're talking

11:48:00 1 about. On the right is Claim 12 of the '681. And, again,
11:48:04 2 I've shown you a mapping using color coding and arrows of
11:48:10 3 how Element A through I of Claim 12 of the '605 patent map
11:48:15 4 to Element A through G and I of Claim 12 of the '681.

11:48:20 5 Q. So are Elements A through I of Claim 12 of the '605
11:48:25 6 patent present in the Wells Fargo system?

11:48:26 7 A. They are.

11:48:29 8 Q. So moving on to Elements J through N, how did that
11:48:34 9 correspond to the analysis you've done for the '681 patent?

11:48:38 10 A. So J through N map to Elements H, J, and K in Claim 12,
11:48:46 11 and you can see that, for example, Element J is essentially
11:48:50 12 the same element. Element K is -- of the Claim 12 is
11:48:57 13 divided into two parts here, K and N, in Claim 12 of the
11:49:06 14 '605, and then Element H is divided into two elements in
11:49:13 15 the '605 patent, Claim 12, L and M. But they're all
11:49:16 16 present.

11:49:17 17 Q. And do you see Element K says the system configured to
11:49:22 18 perform additional steps including; do you see that?

11:49:24 19 A. Yes, I do.

11:49:25 20 Q. And then what's the -- what's the Element L under that?

11:49:28 21 A. The Element L is that confirming that the mobile check
11:49:33 22 deposit can go forward after performing optical character
11:49:36 23 recognition. So that's saying -- calling out rather
11:49:41 24 explicitly that the system performs the optical character
11:49:46 25 recognition.

11:49:46 1 Q. And is that any different from that claim element we
11:49:50 2 looked at in '681 patent Claim 12?

11:49:52 3 A. No, it's not. The '681, again, calls out that the
11:49:58 4 system, that whole rectangular red box of the server and
11:50:00 5 the app running on the phone can perform optical character
11:50:04 6 recognition. It can happen anywhere in that box.

11:50:06 7 Q. But is it your understanding that Wells Fargo disagrees
11:50:11 8 about that element in Claim 12 but not in -- excuse me,
11:50:14 9 Claim 12 -- let me strike that and just start that over.

11:50:17 10 Is it your understanding that Wells Fargo
11:50:19 11 disagrees that the confirming limitation is met in Claim 12
11:50:23 12 of the '681 patent but has no such disagreement about the
11:50:28 13 same element in Claim 12 of the '605 patent?

11:50:30 14 A. Yes, that's my understanding.

11:50:34 15 Q. So are Elements J through N in the '605 patent Claim 12
11:50:39 16 present in the Wells Fargo system?

11:50:41 17 A. They are.

11:50:41 18 Q. And so what's the next independent claim that you
11:50:46 19 looked at in the '605 patent?

11:50:47 20 A. I looked at Claim 1.

11:50:50 21 Q. And can you give the jury a sense of what Claim 1 looks
11:50:54 22 like?

11:50:55 23 A. Claim 1 is perhaps the longest claim here. So you'll
11:50:59 24 see it on the left there, and, again, I've broken it into a
11:51:03 25 table with a bigger font, and I've given each of the rows,

11:51:06 1 each of the elements the label A through O.

11:51:10 2 THE COURT: Let me interrupt. Before we dive into
11:51:13 3 A through O, the jury's lunch is in the jury room, waiting
11:51:16 4 for them, I've been advised by the clerk. So we're going
11:51:19 5 to take this opportunity to recess for lunch.

11:51:23 6 Ladies and gentlemen of the jury, if you'll take
11:51:24 7 your notebooks with you to the jury room over the lunch
11:51:27 8 hour. If you'll follow all the instructions I've given
11:51:30 9 you, including, as you would expect me to remind you, not
11:51:33 10 to discuss the case with each other. And we'll be back to
11:51:36 11 continue with this testimony after we break for lunch.

11:51:38 12 It's about 10 minutes until 12:00 now. We'll
11:51:42 13 attempt to reconvene somewhere around 12:30, 12:40.

11:51:49 14 With that, the jury is excused for lunch.

11:51:52 15 COURT SECURITY OFFICER: All rise.

11:51:53 16 (Jury out.)

11:51:54 17 THE COURT: The Court stands in recess for lunch.

18 (Recess.)

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATION

I HEREBY CERTIFY that the foregoing is a true and correct transcript from the stenographic notes of the proceedings in the above-entitled matter to the best of my ability.

/S/ Shelly Holmes
SHELLY HOLMES, CSR, TCRR
OFFICIAL REPORTER
State of Texas No.: 7804
Expiration Date: 12/31/20

1/7/2020
Date